

UNITED STATES OF AMERICA

+ + + + +

LIBRARY OF CONGRESS

+ + + + +

COPYRIGHT OFFICE
SECTION 1201 ROUNDTABLE

+ + + + +

TUESDAY
APRIL 10, 2018

+ + + + +

The Section 1201 Roundtable met in the Mumford Room, James Madison Memorial Building, 101 Independence Avenue, SE, Washington, District of Columbia, at 9:00 a.m., Regan Smith, Deputy General Counsel of the U.S. Copyright Office, presiding.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

PRESENT

REGAN SMITH, Deputy General Counsel of the U.S.
Copyright Office
KEVIN AMER, U.S. Copyright Office
ANNA CHAUVET, U.S. Copyright Office
RAFI GOLDBERG, National Telecommunications and
Information Administration
JULIE SALTMAN, U.S. Copyright Office
JASON SLOAN, U.S. Copyright Office

ALSO PRESENT

JONATHAN BAND, Owners' Rights Initiative
STEVE ENGLUND, Dominion Election Systems,
Election Systems & Software, and Hart
InterCivic ("Election System Providers")
JAY FREEMAN, SaurikIT
HARLEY GEIGER, Rapid7
J. ALEX HALDERMAN, University of Michigan Center
for Computer Security and Society
JOSEPH LORENZO HALL, Center for Democracy &
Technology
BRETT HILDEBRAND, Samuelson-Glushko Technology
Law and Policy Clinic
ALEX KIMATA, Samuelson-Glushko Technology Law and
Policy Clinic
MIKE KEALEY, Dorman Products, Inc.
AARON LOWE, Auto Care Association
CHRIS MOHR, Software & Information Industry
Association
BLAKE REID, Samuelson-Glushko Technology Law and
Policy Clinic
ANDREW SHORE, Association of Service and Computer
Dealers International
DAVID J. TAYLOR, DVD CCA
CHRISTIAN TRONCOSO, BSA The Software Alliance
BRUCE TURNBULL, AACS LA
KIT WALSH, Electronic Frontier Foundation
J. MATTHEW WILLIAMS, Association of American
Publishers, Entertainment Software
Association, Motion Picture Association of
America, Inc., and Recording Industry
Association of America ("Joint Creators II")
JONATHAN ZUCK, ACT The App Association

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

CONTENTS

Call to Order 4

Opening Remarks 5

Proposed Class 7 8
Computer Programs - Repair

Proposed Class 10 106
Computer Programs - Security Research

Adjournment 237

P-R-O-C-E-E-D-I-N-G-S

9:00 a.m.

MS. SMITH: Good morning, everyone.
Thank you for coming.

My name is Regan Smith. I'm Deputy
General Counsel at the Copyright Office, and I,
along with my colleagues, will be presiding over
this hearing, which is part of the section 1201
rulemaking.

First, let's introduce ourselves,
starting from left to right.

MR. SLOAN: Jason Sloan in the Copyright
Office.

MS. SALTMAN: Julie Saltman, Assistant
General Counsel in the Copyright Office.

MR. AMER: Kevin Amer, Senior Counsel in
the Office of Policy and International Affairs.

MS. CHAUVET: Anna Chauvet, Assistant
General Counsel at the Copyright Office.

MR. GOLDBERG: And I'm Rafi Goldberg
from the National Telecommunications and
Information Administration, which is a mouthful.
So, we usually just call it the NTIA.

And we would just like to thank the
Copyright Office for allowing us to participate in

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 these hearings once again. Thank you.

2 MS. SMITH: And we are again very
3 grateful that NTIA is here and participating in this
4 with us.

5 So, we are all excited to be here today
6 and hear all of your evidence. I know some of you;
7 I see some familiar faces, but also some new faces.
8 So, I want to explain how the process will work.

9 As you probably realize, the goal of
10 these hearings is to try to analyze and further
11 develop in the record in relation to the proposed
12 exemption to the anti-circumvention provisions in
13 section 1201 of the Copyright Act. We are more
14 interested in clarifying and developing the record
15 rather than going over arguments which were already
16 seen in the written comments. It's helpful if we
17 can use this time to hone in on the issues,
18 particularly disputed issues or areas where the
19 record may be a little bit patchy.

20 And some rules of the road: make sure
21 to speak into your microphones. Speak slowly
22 because there is a court reporter and, also, this
23 hearing is being live streamed.

24 I will be calling on people or others
25 will be calling on people. So, if you would like

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to speak or make a comment, just tip your placard
2 up and we'll know that you want to speak. We'll try
3 to call on people in turn as how they've raised their
4 placard. But, either way, we'll make sure everyone
5 gets a chance to speak.

6 I think no one has exhibits for this
7 hearing, but for future panels, and looking at the
8 audience, we will mark exhibits prior to that.

9 And in terms of audience members, we also
10 have a sign-up sheet for audience participation
11 which will be Thursday or Friday. So, if you're
12 interested in speaking briefly on one of these
13 subjects, you can fill out a request, so we can make
14 sure to accommodate you in the time.

15 The microphones, we can only have four
16 on at a time. And I believe if you have four, you
17 might start to get some weird feedback. So, if you
18 can, just try to press the button to turn off your
19 microphone after you speak.

20 I think, unless there's any questions,
21 we'll start by asking the panelists to identify
22 themselves and any affiliation or interest that you
23 have. And then, we'll start by asking some broader
24 questions to try to get at the issues.

25 So, Mr. Kealey?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. KEALEY: Mike Kealey, Dorman
2 Products. I am Executive Vice President of
3 Commercial. So, I have responsibility for all of
4 our product development initiatives for both new
5 and re-manufactured aftermarket auto parts.

6 MR. BAND: Jonathan Band. I'm here on
7 behalf of the Owners' Rights Initiative.

8 MR. SHORE: Andrew Shore. I'm here for
9 Joe Marion, the Association of Service and Computer
10 Dealers International. And in full and fair
11 disclosure, I'm also Executive Director of the
12 Owners' Rights Initiative, and ASCDI is a member.
13 So, you'll need a program to keep it all straight.

14 MS. SMITH: Thank you. We appreciate
15 that.

16 MR. LOWE: Aaron Lowe, Senior Vice
17 President for Regulatory and Government Affairs for
18 the Auto Care Association.

19 MR. WILLIAMS: Matt Williams. I'm with
20 Mitchell Silberberg & Knupp. I'm representing AAP,
21 ESA, MPAA, and RIAA.

22 MR. TURNBULL: I'm Bruce Turnbull with
23 the Turnbull Law Firm, representing, as it says,
24 AACS LA, but also this morning the DVD Copy Control
25 Association. We filed joint comments.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. SMITH: Okay. Thank you.

2 So, I think everyone knows that the
3 Register of Copyrights has already determined that
4 she can recommend renewal of the existing exemption
5 for repair. So, here today, we are here to discuss
6 potential expansions or modification of that
7 current exemption.

8 And I think we would like to take it in
9 pieces based on general issues. And the first
10 pieces we would like to discuss are third-party
11 assistance and manufacture and provision of tools.

12 And so, I guess my first question, pretty
13 broad, is the Copyright Office has said in its study
14 that Congress should consider legislative
15 clarification to allow for third-party assistance.
16 Would anyone like to explain why the proposed
17 expansion would be within the scope of the Office's
18 authority as opposed to abutting against the
19 anti-trafficking provisions, or not within the
20 Office's authority?

21 Mr. Williams? Just tip your placard up
22 next time, so we're sure that you're trying to speak.

23 MR. WILLIAMS: Sure. Sorry about that.

24 So, I would take the side that it would
25 not be within the Office's authority at this time.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I understand there is a proposed legislative
2 amendment, but in the context of this rulemaking,
3 I think the Office has been correct to say that it
4 should not and cannot issue exemptions that are
5 likely to be interpreted to encourage trafficking.

6 I think the focus of the proponents'
7 arguments on this issue was that the statute refers
8 to users of works, as opposed to owners of works
9 or copies. But there's a number of ways to explain
10 that without saying that Congress intended to mean
11 that a service provider could get an exemption.

12 For example, section 117, you've dealt
13 with that issue about whether an owner or a
14 user/licensee could get an exemption. There are
15 also people who access streaming media content who
16 are not necessarily owners of copies, but are users
17 of a work. And so, there are other reasons why
18 Congress would make that choice, aside from allowing
19 services exemptions.

20 MS. SMITH: Mr. Band?

21 MR. BAND: So, I think you have to look
22 at section 1201 as a whole. And as you say, you have
23 the anti-trafficking provision, but at the same time
24 we do have this rulemaking. And if you looked at
25 the anti-trafficking provision literally, it says

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 you can't make for traffic, right? You can't make
2 or distribute a circumvented technology.

3 Now, certainly, anyone who is granted
4 an exemption is going to have to make a circumvention
5 device, right? Or have to come up with the software
6 or do something that allows it. And so, arguably,
7 already the statute is a null set, right, that you
8 have a rulemaking that allows an activity, but,
9 then, the anti-trafficking provision prohibits the
10 development of the technology that allows you to
11 engage in that activity.

12 Now you've taken care of that problem
13 by saying, well, no, it can't possibly mean that,
14 taken literally. And so, you have interpreted the
15 trafficking provision in such a way that it is not
16 in conflict with this rulemaking. Okay?
17 Otherwise the rulemaking would be an absurdity.

18 MS. SMITH: Well --

19 MR. BAND: Well, let me just finish that
20 thought.

21 But, given that, it seems that you can
22 easily interpret the authority under the rulemaking
23 to allow not only the person, not only the owner
24 of the work to engage in the circumvention in order
25 to get access to the work, but to allow someone,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 a third party, to help them. Just like courts have
2 found that the fair use privilege can apply to
3 someone, not only to the person who is the ultimate
4 end-user, but someone who is providing a service
5 to that end-user.

6 MS. SMITH: So, you've brought up a
7 couple of issues, including making it personal, too,
8 how the Office has looked at that, as well as
9 third-party assistant. Would it be, is it your
10 understanding that the Copyright Office could just
11 allow an exemption for someone to distribute a
12 technology product, service, device, or component,
13 a list of things prohibited in 1201(a)(2) or (b)
14 under 1201(a)(1)?

15 MR. BAND: Well, I think it's a matter
16 of how far you're willing to stretch the statute.

17 MS. SMITH: We're not looking generally
18 to stretch the statute.

19 MR. BAND: Right, right. No, no, no,
20 but, look, let's be real. I mean, we're already or
21 you've already sort of engaged in a creative
22 interpretation to allow the people who get an
23 exemption under 1201(a)(1) to make a circumvention
24 technology, right? Because they can't just sort
25 of -- it doesn't appear, it doesn't come down from

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 the sky.

2 MS. SMITH: Correct.

3 MR. BAND: I suppose we could say, yes,
4 well, it does. I mean, usually, you can --

5 MS. SMITH: We said it was implicit in
6 the statute.

7 MR. BAND: Right, exactly.

8 MS. SMITH: So, while we've endorsed
9 creativity generally, that was not our goal, ergo,
10 we should just read the statute plainly.

11 Did you have a question?

12 MR. AMER: Yes. Could I just follow up
13 on that?

14 So, I mean, I think we said in construing
15 the term "manufacture," we read it in light of the
16 term "trafficking". And we said that the term
17 "trafficking" as a matter of general definitional
18 meaning, generally refers to commercial activity.
19 And so, if you're talking about manufacturing a tool
20 for self-help, we think that manufacturing wouldn't
21 cover that if you were a beneficiary of an exemption.

22 I think that the challenge for us here
23 is, if we're talking about providing a service, and
24 if you're talking about a third-party service
25 provider, for example, that is likely to be a

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 commercial entity. And so, the distinction that we
2 drew in the context of manufacturing doesn't really
3 help for purposes of allowing commercial third
4 parties to provide assistance. So, I think that's
5 kind of what we're talking about.

6 MR. BAND: Well, I guess I would respond
7 that the term "manufacture," you could construe it
8 to say, well, if a repair shop -- well, just like
9 you're saying, a user, if he manufactures it for
10 his own purposes, it's not a manufacturer, I think
11 you could certainly also say that, if a repair shop
12 develops the circumvention technology, but it's not
13 selling it, meaning it just develops the technology
14 for itself --

15 MS. SMITH: How is the repair shop
16 making money if it's not selling its services?

17 MR. BAND: It's selling its services,
18 but it's not selling the device.

19 MS. SMITH: Okay. Mr. Turnbull?

20 MR. TURNBULL: We've tried to find where
21 a fine line might exist. And in our view, the term
22 "user" can be interpreted to be an expert repair
23 person. Where we draw the line, however, is we
24 would object to and think the Office would not have
25 the authority to grant an exemption for somebody

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 rendering assistance. So, if the product is
2 physically brought to a repair facility, and the
3 repair facility has the physical product in its
4 possession, and is, then, using the physical
5 product, that literally, in our view, would be
6 acceptable under the statute. Whereas, if the repair
7 person is rendering assistance in some other manner,
8 then you probably are over the line.

9 And this is one where we're mindful that
10 our interests with DVD and Blu-Ray players, you
11 know, it may be a little different from some of the
12 situations presented by farm equipment or autos,
13 and that sort of thing. And while we have no
14 position on those exemptions per se, in looking at
15 the commentary, our view has been that you can read
16 the word "user" in the way that the study report
17 suggested that you might, particularly if you, then,
18 take the further view that "manufacturer" can be
19 read in the context of the trafficking word as well.

20 MS. SALTMAN: So, just to clarify, Mr.
21 Turnbull, do you understand that a third-party
22 repair person providing assistance, as you
23 described, as a user, would they be able to provide
24 both repair assistance and repair to add
25 improvements to a device? Or do you think that a

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 user just has the ability to repair?

2 MR. TURNBULL: We are very concerned
3 about the possibility of improvements. And in
4 fact, in our comments -- and I am mindful of not
5 repeating -- but in our comments we did make the
6 point that modifications that would actually enable
7 certain kinds of functions that have been the
8 subject of previous requests for exemptions, you
9 know, that certainly should not be allowed. Now
10 where you could draw the line, I don't know, but
11 we would for sure say that, for example, adding
12 functionality that, for example, a DVD player or
13 a Blu-Ray player would not allow access for certain
14 purposes, and modification to allow access for those
15 purposes should not be provided.

16 MS. SMITH: So, assuming something was
17 non-infringing under a section 117 or 107 -- and
18 we can later discuss whether modification is always
19 or sometimes or never within those bounds -- AACS
20 believes that, if a service shop or an independent
21 repair shop provides services, would it be at the
22 direction of a user, or what would the statutory
23 advantage be?

24 MR. TURNBULL: Our line is that, if the
25 repair person is, in fact, using the product, not

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 providing services in support of the owner of the
2 product, but if they have physical possession of
3 the product, then they are the user of the product.

4 MS. SMITH: So, if I take my car to a
5 dealership and I say, "I want you to fix the lights
6 on the fritz," or whatever, they are both using my
7 product and providing a service to me. So, how
8 would that work in practice?

9 MR. TURNBULL: At that point, if they're
10 within the terms of the exemption --

11 MS. SMITH: That's what I'm asking you:
12 would they be?

13 MR. TURNBULL: No. Well, but if what
14 they're doing -- I mean, in other words, if you gave
15 an exemption to the user of the product to repair
16 the lights, just to take a --

17 MS. SMITH: Well, I think what we're
18 talking about is whether or not -- currently, it
19 says you can engage in repair, diagnosis,
20 maintenance --

21 MR. TURNBULL: Right.

22 MS. SMITH: -- by the owner of a
23 lawfully-acquired device. And if we said "by the
24 user who has lawfully acquired a device," in this
25 instance of an independent repair shop, do you

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 believe that's an exemption that the Office could
2 do that would still remain within the statutory
3 mandates of 1201(a) or do you think it would go
4 beyond that because it would be condoning
5 anti-trafficking?

6 MR. TURNBULL: I think, read literally,
7 it's within your authority.

8 MS. SMITH: Okay. Mr. Shore?

9 MR. SHORE: Thank you.

10 Just quickly, I guess -- and I look at
11 these cameras right in front of us, for instance -- I
12 don't think that there's anybody in this room who,
13 if they owned this camera, could repair it. Given
14 the advances in modern technology and the complexity
15 of the things that we own, third-party repair is
16 totally necessary.

17 And so, if you didn't read the statute
18 to allow third-party repair shops to engage in
19 services for goods that the individual owns and
20 brings to them, then why even have the rulemaking?
21 And there are, to be fair, there are cars from the
22 '60s still around that people can repair themselves,
23 but even my 2010 has technology that I wouldn't even
24 start. I lost the key, and I couldn't unlock my car
25 because of the complexity of the technology.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. SMITH: So, the Copyright Office has
2 acknowledged sort of these policy-driven issues --

3 MR. SHORE: Yes.

4 MS. SMITH: -- in our 1201 study. And
5 I think, right now, I'm trying to just nail down
6 our statutory basis, our regulatory authority, how
7 far it might extend. Because we recognize that this
8 may be something of use. So, I think that's what
9 we're trying to focus on in this part.

10 So, maybe Mr. Williams and, then, Mr.
11 Kealey?

12 MR. WILLIAMS: Thank you.

13 Although I almost always agree with
14 Bruce, on this issue I don't think I can, if I'm
15 understanding his position correctly. In that
16 scenario that you were discussing with him, as I
17 understand it, the repair shop would have somehow
18 acquired the tool, not necessarily manufactured it.
19 And then, they would, for money, offer the service
20 of using the tool to circumvent and repair products.
21 And while I'm not here to specifically address
22 automobile-related issues, my understanding of
23 that scenario is that it would always require
24 circumvention in order to get to making the repair.

25 And in that instance, if you look at the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 language of the anti-trafficking provisions, that
2 would be providing a service for the purpose of
3 engaging in circumvention. And I don't think that
4 your statutory authority goes that far that you can
5 grant exemptions under 1201(a) that, as you've said
6 before, are very likely to result in people going
7 out and engaging in trafficking.

8 I think you hit the nail on the head a
9 second ago when you said you've done your work in
10 the policy arena, you've provided the report to
11 Congress. Something may or may not come out of
12 that. But I don't think the fact that you reached
13 one conclusion in that arena should change your
14 regulatory authority in the proceeding.

15 MR. AMER: Well, so that's helpful. I
16 mean, I think the argument that we've heard
17 sometimes from the other side is that, if you're
18 talking about something like a repair shop, is that
19 a service that is primarily designed or produced
20 for the purpose of circumventing? Now, as I
21 understand your position, I think you would say,
22 well, circumvention is never the ultimate goal of
23 a service. And so, even if you are circumventing
24 for the purpose of allowing piracy to take place,
25 that service should be understood to be primarily

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 designed for the purpose of circumvention.

2 But what about that concern? I mean,
3 even thinking about the concern Mr. Shore raised,
4 just sort of the practical need in many cases for
5 third-party assistance, doesn't the statute
6 suggest that there are some types of services that
7 should be understood as not primarily designed for
8 circumvention, but that, nevertheless, sort of
9 incidentally involve circumvention?

10 MR. WILLIAMS: Yes, I think to say
11 otherwise, you would have to read that language out
12 of the statute, which you can't do. On the other
13 hand, as I was trying to say, my understanding is
14 that, in the auto repair arena, and in many of the
15 arenas that we would care about, pretty much every
16 act involved in that service would result in an act
17 of circumvention. And so, it's not that they're
18 engaging in a service that occasionally happens to
19 involve circumvention. It's that, by definition,
20 it involves circumvention. So, in that scenario,
21 and probably others, but at least in that one, I
22 think it would violate the trafficking provisions.

23 And I also again think that the Office
24 has been correct to say that it's not just let's
25 read strictly the trafficking provisions, and,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 then, if there's some argument that maybe something
2 doesn't fit within them, you grant the exemption.
3 I think it's the other side of the coin, which is
4 that, if it looks like trafficking, you're supposed
5 to stay clear of it and not encourage something that
6 is possibly going to lead to trafficking.

7 MR. AMER: Yes, but, I mean, you're
8 agreeing that there is some class of services that
9 incidentally involve circumvention that wouldn't
10 fall within the trafficking. So, I think what we're
11 struggling with is trying to figure out how to draw
12 that line.

13 It seems to me that -- I don't know -- one
14 could argue that if you're circumventing for
15 purposes of fixing a car and, then, you put back
16 the TPM, for example, you know, you unlock the
17 product, I mean, is that a way to distinguish
18 services that are primarily designed for
19 circumvention compared to those that only
20 incidentally involve circumvention towards some
21 other end?

22 MR. WILLIAMS: I think that would be the
23 defining line for what's primarily designed for
24 circumvention, although we did say that, if you
25 ultimately decide to grant some kind of broader

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 repair exemption, that the 117(d) factors for
2 defining repair would be more helpful than some less
3 bounded definition of repair.

4 I think that, because the statute refers
5 to primarily designed, you have to assume that,
6 theoretically, there is such a service. I couldn't
7 tell you one, sitting here today, and I don't think
8 I've seen any in the record. I think you very
9 accurately described our view, which is that
10 circumvention is almost always going to be for the
11 purpose of achieving some other goal. So, no one
12 is really going to be in the business of
13 circumventing just to show people they can
14 circumvent. And so, if you define it in a way that
15 says, well, really my goal is repair or my goal is
16 some other activity, that that's not enough, in our
17 view, to take you outside of the trafficking
18 provisions.

19 MS. SMITH: I wonder if maybe Mr. Kealey
20 could speak to that, because I see (a)(2) also says
21 there's only a limited commercially-significant
22 purpose. I'm wondering if you know, especially in
23 the vehicular context, if the tools for
24 circumvention are more connected towards
25 diagnosing what's wrong with the car or repairing

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 something, or if circumvention is one act and, then,
2 the acts of maybe more traditional auto repair or
3 diagnostics are separated from that?

4 MR. KEALEY: There are a couple of
5 things in there. So, I'll try to deal with each of
6 them.

7 So, if I could just go back real quick,
8 you had asked the question as to whether or not it
9 was in the Copyright Office's jurisdiction to sort
10 of extend the exemption. And one of the things
11 that's concerning to us is the existing exemption
12 as it references vehicle repair and extends the
13 exemption to the motor vehicle owner.

14 And we would sort of agree with the
15 report to the Register of Copyrights from June of
16 2017, page 4 in the Executive Summary. "In cases
17 where beneficiaries cannot themselves make use of
18 an exemption, the Office believes it is important
19 to allow users to seek assistance in making use of
20 that exemption."

21 So, with respect to automotive repair,
22 modern vehicles today have somewhere between 50 and
23 70 complex computing devices on them. They perform
24 all sorts of functions, from rolling your window
25 up and down to the braking system on the vehicle.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And if one of those computing devices goes bad, the
2 motor vehicle owner does not have the tools or
3 aptitude to be able to fix the hardware failure in
4 one of those devices, put that device back onto the
5 vehicle, and then, put the software that was on that
6 device back onto the repaired module. There's just
7 no practical way for them to go about doing that.

8 So, without being able to extend that
9 exemption to a third-party repair facility that can
10 perform that repair on their behalf, the exemption
11 is effectively useless. And so, for us, one of the
12 things that we would like to see clarified in the
13 exemption is that that right can be extended to a
14 third-party repair provider or for the exemption
15 to remain silent with respect to the motor vehicle
16 owner.

17 MR. AMER: So, that's helpful. I think
18 the challenge for us is to -- I mean, we're,
19 obviously, not granting or recommending exemptions
20 for purposes of the trafficking, where that's
21 clearly outside our authority; everybody agrees
22 with that. But I think, nevertheless, if we were
23 to sort of recommend an exemption that might allow
24 for some third-party assistance, we would need to
25 have some theory about the trafficking provisions

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 and how they should be interpreted and some way to
2 differentiate, you know, services that are provided
3 by a third party that are not trafficking versus
4 those that are.

5 And so, I think your points are
6 well-taken from a policy standpoint, but I think,
7 from our perspective, we're trying to understand
8 what your theory would be or what you're suggesting
9 that we do in terms of interpreting the trafficking
10 provisions.

11 MR. KEALEY: With respect to automotive
12 repair and diagnostics, I think the software that
13 we're talking about is effectively useless outside
14 of the device that controls the vehicle on said
15 vehicle. I can't think of a situation where
16 somebody would want to traffic in vehicular
17 software, especially with respect to specific
18 computing devices on the car.

19 If the exemption was limited to repair
20 and remanufacture of specific devices and repair
21 of the vehicle, I don't know if that doesn't sort
22 of put a bow around the issue.

23 MS. SMITH: Okay. Thank you.

24 So, Mr. Band, I would like to let you
25 chime in, but I also have a question for you about

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Mr. Kealey's comments. He said, without
2 third-party assistance, this exemption would be
3 fairly useless, which I was surprised at because
4 the last rulemaking, in Owners' Rights Initiative
5 comments there's a lot of emphasis over both the
6 personal American tradition of souping up or fixing
7 one's car as in self-improvement, as well as this
8 is listed as a basis under 107 for personal
9 education, to engage in taking apart the software
10 and playing with it. So, do you want to speak about
11 whether you agree with him or disagree that
12 individuals can make use of the car exemption or
13 an exemption for repair?

14 MR. BAND: Well, let me, I'll first
15 answer --

16 MS. SMITH: I know that's sort of two
17 questions.

18 MR. BAND: Yes. All right. So, first,
19 let me respond to that.

20 I guess the answer is, it depends. It
21 depends on the car. It depends on the individual.
22 Certain people, obviously, are better equipped at
23 doing these things by themselves than others.

24 And also, we're interested in
25 broadening the exemption beyond the automotive

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 sector. I mean, our focus has been on a much broader
2 exemption, that if you're talking about embedded
3 software, which was not what anyone intended when
4 1201 was drafted, that that's the kind of thing that
5 people should be able to circumvent or get
6 assistance.

7 But, certainly, with cars, that's
8 probably on the more complicated end of the
9 spectrum, but some of the devices that we're
10 interested in and that have software in them are
11 much simpler, and, conceivably, an individual on
12 his own or after watching a YouTube video, would
13 be able to figure out how to fix by themselves. So,
14 I think it depends. Certainly, cars are probably
15 on the high end of the spectrum, but there's a wide
16 range of spectrum of devices that have embedded
17 software.

18 But, turning back to what Mr. Amer and
19 Mr. Williams were talking about, and so forth, it
20 seems clear, when you look at the statute, the
21 trafficking provision talks about a service that
22 is primarily designed, right, or it has a limited
23 commercial purpose other than -- I mean, it's
24 talking about a circumvention service. That's the
25 service.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And to the extent you can say, well, no
2 one is only going to just circumvent and do nothing,
3 well, right, the point is to circumvent and, then,
4 get engaged in infringing activity. That's what it
5 was all about, right? Remember, this is about
6 infringement. That's what the statute is designed
7 -- and if not infringement directly, then
8 circumventing to get access to something you haven't
9 paid for, right?

10 To the extent that when 1201 was
11 broadened beyond what was 1201(b) to include 1201(a)
12 and get into access control, it's like getting cable
13 without -- you know, sort of like tapping into a
14 cable signal or tapping into a satellite signal
15 without paying for it, getting something you weren't
16 entitled to pay. That's what it was intended.

17 And I think, as you are interpreting the
18 statute, you need to say, okay, what was this really
19 all about; what was the intent? And it was intended
20 to, let's say, deal with a service where someone
21 would come out and circumvent the cable box, so that
22 you could get HBO for free. That's the kind of
23 service you're not allowed to engage in. That's a
24 trafficking or that's the kind of service that
25 1201(a), the trafficking provision of 1201(a), was

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 designed to get at.

2 Here we're not talking about getting
3 access to something you would pay for. You paid
4 your \$50,000 for your car. And now, you want to get
5 it fixed because the --

6 MS. SMITH: Do you think the same would
7 be true if it's like a rental car? I mean, just
8 foreshadowing, you've requested to expand this to
9 other devices where it's less -- maybe the ownership
10 indicia is less clear. Does that make a difference
11 in your analysis of whether these activities are
12 likely to be non-infringing?

13 MR. BAND: Well, if it's a rental car,
14 you go back to Hertz and say --

15 MS. SMITH: Hopefully.

16 MR. BAND: -- "Give me my money back."
17 Right?

18 MS. SMITH: Yes.

19 MR. BAND: But, certainly, in the
20 typical context where you're the owner of a device,
21 you've paid your money for it, and you don't want
22 to go back to the dealer who's going to charge you
23 three times as much to repair. You know, once
24 you're out of warranty, right, the dealer is going
25 to charge you two or three times as much for every

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 repair. But this is your car; you should be able
2 to fix it. And this was not what Congress intended,
3 and you should interpret the statutes in a manner
4 that would allow a person to exercise their
5 ownership rights.

6 MS. SMITH: Thank you.

7 Mr. Lowe, I'll just sort of bundle the
8 question to you also. Your comment has asked to add
9 in language regarding the provision of tools. And
10 I'm just curious what you think adding that language
11 in, what it would allow people to do that they can't
12 currently do.

13 Because the Copyright Office has said
14 individuals should be able to make use of a tool
15 in order to effectuate an exemption. And then,
16 separately, we have raised these questions about
17 regulatory authority for third-party assistance.

18 So, my question is, if we added in
19 language allowing for provision of a tool, is that
20 in a commercial way of selling it or is that just
21 to clarify that a user can use a tool when they're
22 engaging in the circumvention? I'm not sure what
23 would change.

24 MR. LOWE: I was looking, I guess we were
25 looking at the development of a tool, so that the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 technicians have the tools they need to work on the
2 car.

3 I want to go back to the comment. When
4 people are repairing cars, the whole goal is to get
5 that car workable again. There seems to be an
6 assumption that they would be trafficking for
7 illegal use. The assumption should be that they're
8 going to be using it for legal use because there's
9 little to be gained from any other use of this, of
10 the circumvention issue.

11 So, we think that there are people that
12 work on their own cars still. There is an active
13 industry, and it's regulated in California and
14 nationwide, where people receive executive orders
15 to produce parts that are different than the
16 original equipment part, but also are legal because
17 they don't create problems for emissions.

18 So, there are a lot of legal uses that
19 have already been developed, and a system that has
20 been developed to allow for do-it-yourselfers, for
21 modifications, and for getting those cars repaired.
22 So, it should be, you know, the assumption that,
23 if there's any trafficking, that that can be taken
24 on an individual basis, but that, for the most part
25 and in nearly all cases, it's being done for legal

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 purposes.

2 As far as the tools go, if the shops or
3 the individuals don't have the availability of the
4 tools to work on these sophisticated systems, and
5 that the parts can be tested and the tools can be
6 tested, it's going to be very difficult for
7 individuals or repair shops to do the work.

8 And I guess I would differ in that I think
9 the answer is both, that if you take the
10 exemption -- if you don't expand the exemption, that
11 is going to create a lot of problems for a lot of
12 motorists. But there are also car owners. It's
13 both. Car owners also work on their cars. Now it's
14 a smaller percentage than it used to be, but it's
15 still there and it's still a vibrant industry.

16 MS. SMITH: Thank you.

17 And I have one more question on a
18 slightly different topic. You've asked to list out
19 vehicles beyond motorized land vehicles to talk
20 about light, medium, heavy-duty trucks and
21 construction machinery. Do you think that's not
22 permitted under the current temporary exemption?
23 Or why would that regulatory change be advisable?
24 I mean, it says "motorized land vehicles". Doesn't
25 that include a truck?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. LOWE: Yes, I think just to make sure
2 that -- those are mostly done by repair shops that
3 work on those cars. They're not done by the actual
4 owner of the motorized --

5 MS. SMITH: But that's currently
6 permitted? You wouldn't say it's currently
7 permitted under the temporary exemption?

8 MR. LOWE: I think we just want to make
9 sure it's covered.

10 MS. SMITH: Okay.

11 MS. SALTMAN: Mr. Lowe, I just wanted to
12 clarify, regarding tools for independent repair
13 shops, my understanding is that, under the
14 Memorandum of Understanding that is part of the
15 rulemaking record, that independent repair shops
16 do have access to the tools that they need. What
17 evidence in the record or what evidence is there
18 that they need additional tools that would not be
19 licensed from dealers or from -- I'm
20 sorry -- manufacturers?

21 MR. LOWE: Well, I think right now
22 you're saying that the only place they can get those
23 tools are from the manufacturer under the MOU. And
24 that availability is there, but there's no
25 competition. And so, I think the vast majority of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 shops get their tools really from outside, other
2 sources. The MOU is to make sure that, because the
3 cars are becoming more sophisticated, that there
4 would be the choice that they could go to the car
5 manufacturer's tool and obtain it.

6 Right now, you're asking like for
7 software updates. When you have to replace the
8 software on a part because it's all, you know, it's
9 almost all software-driven now, they buy the
10 software when they buy the car, but, then, they have
11 to go and buy the software again when they buy a
12 part to put on there from the manufacturer. So, it
13 should be that there is competitive availability
14 of both parts and tools for the independent, and
15 that's what keeps consumer choice and what makes
16 repair affordable for Americans.

17 MS. SALTMAN: And I guess, just to
18 clarify, you said that repair shops are getting
19 tools both from the manufacturers and from other
20 sources. So, what tools are currently prohibited
21 by the exemption that you think should be included
22 in the scope of the exemption?

23 MR. LOWE: Well, I think any tool -- I
24 think the biggest problem is in the reprogramming
25 and recalibration area, where you have to buy it

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 from the manufacturer. And the manufacturers have
2 tightened up the rules in a lot of cases to get that
3 recalibration.

4 GM just released a new requirement that
5 you have to pay per VIN number for each calibration.
6 They used to do it on, you could get it for a month
7 for any GM car. Now the owner or the shop who's
8 trying to recalibrate now has to pay per VIN number
9 for that calibration. So, there's a huge profit
10 center now for the manufacturers in just being the
11 only source of being able to download a program onto
12 that replacement part.

13 Recalibration is when a program, when
14 you replace a part, the part has software on it with
15 the program. They come with a blank chip on it, so
16 that it's programmed to work with the rest of the
17 parts on that car. So, you have to download that
18 program onto the part again after you've replaced
19 it. It's basically the same program in most cases.

20 MS. CHAUVET: So, just to clarify, Mr.
21 Lowe, are you saying that repair shops can get the
22 tools from manufacturers, but that it's just too
23 expensive for them to get it that way? And then,
24 I guess the other kind of second part of that
25 question would be, well, wouldn't that expense just

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 be paid by whoever is having their car fixed?

2 MR. LOWE: Well, you know, they're all
3 competing. I think you could say that the car owner
4 benefits from the fact that there are competitive
5 sources for both parts and tools. And because they
6 can get the same repair done at an independent using
7 an independent tool or an independent part, that
8 would benefit in having that competitive advantage.

9 If you're making a commodity where you
10 get the same service, then the car owner is going
11 to pay a higher price all the way across the line.
12 So, yes, I guess you could say they could get that
13 repair done, but at what price? And you're taking
14 away that ability to compete.

15 MS. SMITH: I'm not sure who is next.
16 Maybe, Mr. Williams, you're being pointed out.

17 MR. WILLIAMS: Yes, I was going to get
18 back to something Jonathan said about things that
19 go beyond automobiles. So, if you want to stay on
20 autos for a while, I can wait.

21 MS. SMITH: If we can stay on autos a
22 little bit --

23 MR. WILLIAMS: Sure.

24 MS. SMITH: -- I think maybe this may be
25 the last call on third-party assistance issues, and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 then, moving onto the telematics and entertainment
2 issues. And then, we'll get to broader devices.

3 So, Mr. Shore, did you want to
4 contribute?

5 MR. SHORE: Just a last word on third
6 party, and I wanted to go back to your original
7 question about looking for a theory that could
8 harmonize this and give you the authority. Why not
9 read it against the First Sale Doctrine? Because
10 the idea that you can't, with such complicated
11 technology, again not on autos -- I'll keep pointing
12 at the camera because it looks so complicated, and
13 it's looking right at me.

14 MS. SMITH: I think someone is going to
15 try to repair this camera after this session.
16 You've kind of thrown down the gauntlet.

17 (Laughter.)

18 MR. SHORE: Right. So, if I owned that
19 camera and I couldn't use a third-party maintenance
20 provider to repair it, then it negates my rights
21 under First Sale. I think that's one way to look
22 at it.

23 And then, we end up just winnowing down
24 what you can transfer under the First Sale Doctrine.
25 Ultimately, nothing that has any sort of complex

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 embedded software.

2 Thank you.

3 MR. AMER: Well, but could I just say,
4 I mean, the First Sale Doctrine is a limitation on
5 the distribution right. It doesn't allow you to
6 make an adaptation of something that you may own
7 in a physical object. So, I don't know that that
8 would really provide a theory for us to conclude
9 that all of the activity that you're talking about
10 is going to necessarily be non-infringing.

11 MR. SHORE: Well, but my point is that
12 the First Sale Doctrine is totally negated. I mean,
13 if you're looking for a way to -- you asked the
14 question, how do you harmonize this, right? And why
15 even have a First Sale Doctrine then, if you can't
16 circumvent technology using third-party
17 maintenance, as we, I think, generally agree that
18 most technology would require third-party
19 maintenance? All you're doing, then, is selling a
20 brick. You don't really own that thing if it has
21 no value because you can't repair it.

22 MR. GOLDBERG: So, let me actually ask
23 you about what you think about an alternate theory,
24 as well as others. So, section 117 says it's not
25 an infringement for an owner or a lessee of a machine

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to make or authorize the making of a copy in order
2 to do a machine, you know, a repair.

3 So, I guess my question is, if a mechanic
4 is making a non-infringing use under 117, and they
5 have to circumvent an access control in order to
6 make that use, do we think that there's still
7 potentially a trafficking in a circumvention
8 service or are they just the non-infringing user
9 of the software, you know, undertaking
10 circumvention? Which is it?

11 MR. BAND: No, I agree. I mean, I think
12 that that's a perfectly viable basis for
13 interpreting the trafficking provision, that it
14 should be done in light of the other provisions of
15 the Copyright Act like First Sale or 117. It
16 certainly informs what should be seen as the scope
17 of what's being prohibited. And it certainly makes
18 sense, if you're going to be circumventing -- if
19 the service that's being provided is a repair
20 service and you incidentally have to circumvent in
21 order to provide the repair service that is clearly
22 lawful under section 117, then the trafficking
23 provision should not be interpreted in a manner that
24 would prevent that. Or, certainly, the rulemaking,
25 given that, again, Congress decided that there

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 should be a rulemaking, that there should be
2 exemptions, it should all be interpreted, so that
3 it all fits together.

4 MR. GOLDBERG: Mr. Williams?

5 MR. WILLIAMS: Yes. So, I think that
6 that's a very good question. But I would say the
7 courts have been pretty consistent about saying a
8 defense to copyright infringement is not a defense
9 to a section 1201 violation or a 1201(a)(2)
10 trafficking violation. So, I don't think that the
11 argument that 117 would apply as a copyright
12 affirmative defense means that the Office can go
13 and grant an exemption that's likely to encourage
14 trafficking under 1201(a)(2).

15 The other thing that I would note,
16 although we wouldn't support an exemption that did
17 this, is, for 117 to apply, they would have to
18 restore the product to the original state of
19 operation under 117(d), which is something the
20 Office has proposed in its policy study. And so,
21 that would be a wrinkle there as well.

22 MR. GOLDBERG: Sure. I guess just to
23 sort of put a -- and I know we have been talking
24 about this for a while. But, if my check engine
25 light is on, and I take it into a mechanic, and in

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 order to make the repair, to restore it to the way
2 it was working before, he has to circumvent an access
3 control, is he trafficking or is he just a user?

4 MR. WILLIAMS: Yes, I believe, as I was
5 saying earlier, that it would involve trafficking
6 for a repair shop to sell the service of accessing
7 a work by circumvention in order to engage in some
8 further activity that the user is asking them to
9 engage in.

10 As I've said, we're not primarily
11 focused on automobiles. My clients are not, but
12 this has effects in other areas as well. And as I
13 was discussing with Mr. Amer earlier, if the goal
14 is ultimately to say, get an unencrypted copy of
15 a movie, and the argument is, well, the
16 circumvention is just peripheral to that, it's not
17 really my goal, that would cause significant harm.
18 And it's basically the same theory that's being
19 offered for some of these trafficking exemptions.

20 So, I think I'm worried about a slippery
21 slope here, and that's the primary focus of our
22 opposition to this.

23 MS. SMITH: Thank you.

24 So, just to be mindful of time, I do want
25 to move on to the next topic. So, Mr. Kealey, I'll

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 call on you first, but if you can transition what
2 you're going to talk to, maybe respond to Mr.
3 Williams, if you desire. But the question to you
4 is about the request to allow circumvention of the
5 telematics or entertainment ECUs. And I want to
6 know whether the current exemption precludes that?
7 And is that preventing repair, diagnostics, or
8 lawful modification of the vehicles as opposed to
9 perhaps entertainment content accessed on the
10 vehicles?

11 MR. KEALEY: So, I think that, to me, the
12 telematics modules or the infotainment units on the
13 vehicle are no different than a brake control module
14 in terms of --

15 MS. SMITH: Are they separate modules?

16 MR. KEALEY: They are separate modules,
17 but in terms of how they need to be repaired on the
18 vehicle. So, if the vehicle owner has a failed
19 infotainment module, they just want a repaired
20 infotainment module that's in the same working
21 condition as their current infotainment module had
22 been to be replaced into their vehicle. No
23 different than they would want a repaired brake
24 control module to be placed into their vehicle.

25 MS. SMITH: So, it sounds to me like, in

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 a sense -- I don't know if one phrase we're talking
2 about is layered TPMs, but what you would need to
3 circumvent in order to repair the infotainment
4 module is separate than what you would need to
5 circumvent to repair the brake system or the oils
6 or the engine or other parts of the car?

7 MR. KEALEY: No, I think they are the
8 same. That's why, for me, it's difficult to draw
9 the distinction between the two.

10 MS. SMITH: Well, what are you
11 circumventing?

12 MR. KEALEY: So, let's say the vehicle
13 owner wants to replace their infotainment unit.
14 That infotainment unit may be the same physical
15 device on a Cadillac Escalade as it is on a GMC Yukon.
16 What makes it unique to the Escalade versus the Yukon
17 is the software that's loaded onto the device.

18 So, let's say the end-user decides that
19 they can physically perform the repair, right?
20 They can remove the old one. They can put the new
21 device in. That end-user had no way to transfer the
22 software that was in complete working order from
23 their old device to their new device. So, there's
24 no way for them to complete the repair. But they
25 can physically do it. They could take the old one

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 out; they could put the new one in. But, once they
2 put the new one in, it's not in working condition
3 because it doesn't have the software on it. They
4 need a way to be able to transfer the software from
5 their old device to their new device.

6 Think about, to draw an analogy with the
7 laptop, I mean, if you have a hard drive failure
8 on your laptop, you can replace your hard drive and
9 you can transfer the image of your old disk to your
10 new disk. There's no way for anyone to do that with
11 the modules on vehicles today. And that holds true
12 whether it's an infotainment module or whether it's
13 a braking control module.

14 MS. SMITH: So, is your business getting
15 requests to transfer infotainment modules? Or is
16 this something that you've looked into doing, but
17 can't do because of section 1201?

18 MR. KEALEY: We certainly get requests
19 to produce repaired infotainment modules.

20 MS. SMITH: All right.

21 MR. KEALEY: But we do not do them
22 because there's no way to actually allow for the
23 end-user to complete the repair. So, we could
24 physically repair the device, but if we can't give
25 the end-user a way to actually turn that into a

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 working product on their vehicles, it's not
2 commercially viable.

3 MS. SMITH: What circumvention would be
4 involved if you were to do that?

5 MR. KEALEY: The only circumvention
6 would be to give the end-user a way to bypass the
7 circumvention features and move the software from
8 the failed unit to the new unit.

9 MS. SMITH: Okay. And it doesn't sound
10 like -- I'm not sure if you under -- like is there
11 specific technology you can reference. And if not,
12 it's perfectly fine.

13 MR. KEALEY: I cannot reference the
14 specific technology, no.

15 MS. SMITH: Okay. Mr. Lowe?

16 MS. SALTMAN: Mr. Kealey, I just had a
17 quick question, a clarification on that. So, to
18 reinstall a new entertainment module, would you need
19 to circumvent the TPM, the same TPM that you would
20 need to circumvent to repair the brake module, for
21 example? I guess I'm trying to understand, is this
22 a different type of TPM or a different TPM that's
23 being circumvented or is it the same one? It's just
24 to incorporate the entertainment module as opposed
25 to like the brakes?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. KEALEY: I don't know if they are the
2 same TPM or they're not.

3 MS. SALTMAN: Okay.

4 MR. KEALEY: But you have to bypass the
5 circumvention feature on both.

6 MS. SALTMAN: Okay.

7 MS. SMITH: Mr. Lowe, do you know?

8 MR. LOWE: I don't know.

9 MS. SMITH: If you can turn on your
10 microphone?

11 MR. LOWE: Sorry.

12 I don't know the exact, the answer to
13 that question.

14 I was going to add that the telematic
15 system is more than just the entertainment module.
16 And the importance to the industry of the telematic
17 system is growing because the vehicle
18 manufacturers, the OBD port that we've used to
19 obtain diagnostic information is going to either
20 be limited to only the required emissions
21 information or will go away entirely in the
22 not-too-distant future because of cybersecurity
23 concerns. So, the telematic system is going to
24 become the only source of diagnostic repair data
25 for the repair industry in the future. And so, we

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 don't even know at this point how far that will go,
2 but we do know that the OBD system will be limited
3 in the future.

4 MS. SMITH: What is this new system and
5 when is it coming?

6 MR. LOWE: Telematics is already on
7 vehicles now.

8 MS. SMITH: Right.

9 MR. LOWE: And it just transmits the
10 diagnostic data, help data of your car, through some
11 of the same units that the entertainment -- every
12 vehicle is different the way they're configured,
13 but it sends the data to the manufacturer.

14 And for the independent repair market,
15 it is going to pose a lot of challenges and could
16 require us to try to either go through -- to find
17 ways to obtain that data from the vehicle or we would
18 be forced to just go to the manufacturer's server
19 to get that data, which is at their terms.

20 MS. SMITH: So, what I'm trying to
21 understand is whether you agree or disagree with
22 Mr. Kealey where he says the entertainment module
23 is totally separate from the brake module and he
24 would like to be able to offer repair for both of
25 them. Are you currently or do you think there is

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 currently a prohibition or an inability to engage
2 in repairing the brakes or any type of vehicular
3 repair because there is a prohibition on
4 circumventing the telematics ECUs?

5 MR. LOWE: Well, I guess, right now,
6 because we're still able to obtain data from the
7 vehicle, that issue probably is not there. But,
8 right now, we're slowly seeing -- we've already seen
9 BMW announce that they're going to take away the
10 OBD system. So, yes, that could inhibit the ability
11 to repair a brake module, if you can't get the data
12 to know what's wrong with the brake module. So,
13 currently, yes, we can do that, but that's only at
14 the current moment.

15 MS. SMITH: Okay. So, you a couple of
16 times referred to a change going to be coming in
17 the future. Is this going to be done on an
18 auto-manufacturer-by-auto-manufacturer basis?
19 Is it a standard?

20 MR. LOWE: Yes.

21 MS. SMITH: What is this change and how
22 do you get it --

23 MR. LOWE: It's just the manufacturers
24 trying to address the cybersecurity issue in its
25 vehicle, manufacturer-by-manufacturer attempting

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to do that, yes. It will be different. There's no
2 standard telling them that you have to do that.
3 It's a question of how they choose to address it,
4 and we're already seeing manufacturers announce
5 that they're heading in a certain direction. So,
6 it's not a fear that we've created; it's things that
7 were actually being discussed.

8 MS. SMITH: So, you mention BMW. Is
9 there anything else in the record where if we want
10 to look into this --

11 MR. LOWE: I can give you the
12 presentation where BMW talks about it, if that gives
13 you some assistance.

14 MS. SMITH: No, I'm just looking to see
15 what's already, I guess, in the record. So, BMW.
16 Are there other auto manufacturers?

17 MR. LOWE: That's the only one I
18 actually have at this moment in writing. The others
19 are just ones we've seen. There has been others
20 that we've seen kind of come and go in presentations,
21 but I don't have those.

22 MR. AMER: I just want to make sure I
23 understand. So, you're saying that, increasingly
24 or in the near future, it's going to become necessary
25 to access telematics data --

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. LOWE: Yes.

2 MR. AMER: -- in order to repair a
3 vehicle?

4 MR. LOWE: Correct.

5 MR. AMER: And you're being inhibited
6 from doing that currently because of the limitation
7 on the current exemption?

8 MR. LOWE: Well, currently, we can't get
9 access to the telematic system. I mean, that data,
10 we would not be able to do that currently, right.

11 MR. AMER: So, what about the
12 entertainment system? Is the concern there that --

13 MR. LOWE: What about the what?

14 MR. AMER: The entertainment system.
15 Is the concern essentially that you want to be able
16 to, like Mr. Kealey I guess alluded to, that people
17 want to be able to repair the entertainment system
18 and that's just a limitation? Is there anything
19 else that you were seeking to do with respect to
20 the entertainment system that is --

21 MR. LOWE: The only thing, I mean, right
22 now, those units, the telematic systems, every car
23 company configures their system. Some of them,
24 their entertainment systems are very separate.
25 Some of them might be tied into the rest of the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 vehicle.

2 I think, from an entertainment system,
3 the actual entertainment device that's on the
4 vehicle, those would have to be remanufactured and,
5 then, reinstalled. And I think Mr. Kealey is
6 talking about the fact that it would be difficult
7 to do that, to make sure that that device does what
8 it was doing exactly the same for the motorist
9 without being able to circumvent that software.
10 But I'm not technically the right one to ask. Mr.
11 Kealey would have a lot more knowledge of that than
12 I would.

13 MS. SMITH: Okay. Mr. Turnbull's had
14 his placard up for a bit. And then, maybe Mr.
15 Williams. If either of you could help explain your
16 understanding of the technology, whether allowing
17 circumvention of this telematics ECU goes right into
18 the entertainment system or whether there are
19 separate TPMs protecting it, such that it might be
20 possible to repair the car-related things without
21 getting into the piracy of music, such as you've
22 submitted information on?

23 MR. TURNBULL: I'm not sure I can answer
24 that question.

25 MS. SMITH: Okay. Well, either way.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. TURNBULL: What I'm concerned about
2 is getting into the, for example, a Blu-Ray player
3 that may be built into the entertainment system of
4 an automobile, and that in the name of repair,
5 somebody would modify that in a manner that would
6 not otherwise be permitted, where it's not restored.
7 In other words, if it's restoring it literally to
8 the same functionality, meaning all the same outputs
9 are protected, all the same inhibitions of various
10 kinds are incorporated into that, it becomes
11 something that, you know, maybe -- but I would also
12 say that I think both of my clients would be very
13 interested in entering licenses with people who want
14 to do this, and we have a very open license process.
15 We have nondiscriminatory and all of that. To my
16 knowledge, no one has ever come to us to ask for
17 a license, which would be a way of dealing with the
18 entertainment part of this.

19 MS. SMITH: And what license would that
20 be exactly?

21 MR. TURNBULL: There would be a license
22 to use our technology for --- under the requirements
23 and the rules and that sort of thing. It would allow
24 access to the specifications. It would allow
25 access to all of the requirements of the systems.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And if you wanted to repair a system, it would allow
2 you to understand how the technology works.

3 MR. AMER: So, I don't want to get too
4 far away from vehicles. I know we're talking about
5 those specifically. But I just want to make sure
6 I understand.

7 So, your view is that you wouldn't object
8 to an exemption that included repair and that
9 included DVD players. Is that --

10 MR. TURNBULL: No, what I'm saying, and
11 maybe not as articulately as I might have, but it
12 was that, to the extent that the exemption does cover
13 those, that it would be absolutely critical that
14 it be limited to restoring the system to exactly
15 how it existed before in all --

16 MS. SMITH: I have a question. Is that
17 possible? If you are looking through code, and the
18 code is buggy, and you're trying to fix it ---

19 (Simultaneous speaking.)

20 MS. SMITH: -- is it possible to restore
21 it to its original function and, then put --

22 MR. TURNBULL: Maybe not. Maybe not in
23 a computer programmer's terms. But, in terms of,
24 for example, does the output from that system, if
25 there's a plug, if there's a connection to some port,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 is that output protected or have you cut off the
2 HDCP protection, so that it's now spewing out
3 Cleartext data?

4 MS. SMITH: So, you're
5 looking -- sorry -- for it to be restored to its
6 state from a functional perspective --

7 MR. TURNBULL: Yes.

8 MS. SMITH: -- including the same locks
9 on it --

10 MR. TURNBULL: Right.

11 MS. SMITH: -- as opposed to from a code
12 perspective?

13 MR. TURNBULL: Right.

14 MS. SMITH: And does everyone agree that
15 would be non-infringing under 117? Is that the
16 proper way to look at that language?

17 MR. AMER: So, you know, we've been
18 concerned, as you can imagine, with sort of trying
19 to differentiate between embedded software and
20 devices that sort of you know, where the software
21 exists to sort of control the operation of the
22 device, on the one hand, versus, on the other hand,
23 things like video game consoles and DVD players,
24 you know, types of devices that give access to more
25 traditional creative expression.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 So, what we have been told -- I mean,
2 we've had comments from ESA where they've said, you
3 know, at least in the context of video game consoles,
4 the TPM that controls the access to the firmware
5 is also a TPM that controls access to audiovisual
6 works, you know, to video games, the content in video
7 games.

8 And the concern is that their argument
9 is that, well, if you allow a circumvention, even
10 ostensibly for the purposes of repair of a video
11 game console, you are allowing access to -- you're
12 essentially not only allowing circumvention to
13 allow access to the computer code, but also to
14 audiovisual works in the form of video games.

15 Do you share that concern with respect
16 to DVD players? I mean, I would have thought that
17 the DVD players, you know, the CSS system, as I
18 understood it, also acts as an access control for
19 the movies and the content on DVDs. Are you saying
20 that it's possible to circumvent access controls
21 to the firmware controlling the operation of the
22 system and not interfere with the CSS?

23 MR. TURNBULL: I think it would depend
24 on the player, and would depend a little on what
25 the problem was. I could imagine, for example, if

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 there was some problem with the power source and
2 you needed to repair the power connection, you might
3 need to take apart a DVD player and effectuate that
4 repair. That wouldn't necessarily, and probably,
5 from my understanding, wouldn't interfere with the
6 AACS or CSS functionality of that product.

7 Now I don't know whether anybody would
8 ever put a TPM around the power source. But there
9 are --- and if there are, for example, you've got
10 a player that has Blu-Ray functionality and it's
11 also a music player. The access in at least some
12 players that I'm aware of, those are completely
13 separate functionalities on the player and you
14 wouldn't have to touch AACS in order to repair the
15 music functionality of the player.

16 What I was trying to get to before was
17 just that, to the extent that -- we would be
18 concerned if there was a broad-brush exemption to
19 say, oh, yes, well, do whatever you want with the
20 telematics infotainment system, because of the
21 concerns that car manufacturers are only providing
22 the car repair data through that system. It seems
23 to us that you can slice that and say, to the extent
24 that you're going to do something to deal with the
25 problem that Mr. Lowe was talking about, you want

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to be very clear that that is not also sort of
2 slopping over and covering an AACCS Blu-Ray
3 functionality.

4 MR. AMER: Mr. Williams?

5 MR. WILLIAMS: Thank you.

6 I cannot answer the question about the
7 technical distinction between circumvention to
8 access to telematics versus accessing the
9 entertainment system. My understanding is that,
10 when we're in Los Angeles, the witness from Harman
11 can speak to that better than I can.

12 I think it's important to look back at
13 what you did in 2015, which is you looked at the
14 record. You concluded it was sparse with respect
15 to entertainment systems. On top of that, there
16 were concerns about unauthorized access,
17 unauthorized copying. Those two things together
18 led to the exclusion of entertainment systems from
19 the exemption.

20 I think the record is probably even
21 sparser this time on the need to repair these
22 systems. I didn't see any examples in the written
23 comments about that need, and the concern about
24 unauthorized access is still there.

25 The one thing that I did see a few times

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 referenced was not about repairing an entertainment
2 system, but about modifying it, so that you could
3 turn it into some kind of storage device. I was a
4 little unclear on whether the goal there was to be
5 able to copy the motion pictures or the music that
6 you're watching or whether it was to, basically,
7 turn it into a blank slate, so that you could upload
8 new content to it. That I see as not a repair, but
9 a modification, and I also see as something that's
10 rather threatening to copyright interest, and
11 really isn't necessary.

12 My also understanding is that -- and I'm
13 not technically expert at this -- but there's not
14 a lot of excess storage space to be used on these
15 entertainment systems. So, I don't think that
16 example standing alone is enough to grant an
17 exemption.

18 We did submit a statement from Warner
19 Music, their CTO, in this exemption. And he spoke
20 directly to the fact that, from their point of view,
21 the lock on the firmware on an entertainment system
22 in a vehicle is part of the content protection system
23 that they rely on and that they credit when they're
24 thinking about this from a copyright owner's point
25 of view. It's not just something that -- they have

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 no interest in locking someone into using any
2 particular provider for repair. There's no
3 competitive reason that my clients have to care
4 about whether someone hacks that firmware.

5 The issue is that they do believe that,
6 once that firmware is hacked and the entertainment
7 system is accessed, especially when it's accessed
8 through modification, that bad things can happen,
9 that streaming services can be accessed in ways
10 they're not supposed to be accessed; copies can be
11 downloaded in ways they're not supposed to be
12 copied, including from subscription services. So,
13 our concerns go beyond just competitive issues and
14 go directly to the copyright interest.

15 MR. AMER: Thank you.

16 Could I ask you about, could I follow
17 up on that last point and Mr. Bell's statement
18 specifically? I mean, reading his statement, I
19 didn't get the impression that the companies you
20 represent are directly involved in developing the
21 access controls that exist on in-vehicle
22 entertainment systems. I mean, I'm looking at
23 paragraph 5 on page 2 of his statement.

24 He says, although WMG is not privy to
25 the precise methods used to securely communicate

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 or store such key/token on every device, it is my
2 opinion that obtaining root access to the firmware
3 on devices used to access streaming music services
4 may lead to compromise of the above-referenced
5 protection schemes.

6 So, I guess what I'm asking -- I mean,
7 these aren't your TPMs. So, should that affect our
8 analysis? I mean, I understand that maybe the
9 content that you own may incidentally benefit from
10 TPMs that exist that protect access to the firmware
11 on the entertainment system, but is it appropriate
12 for us to sort of consider sort of downstream
13 infringement of those types of works, given that
14 you are not the party that -- I mean, am I correct,
15 I guess, in reading his statement to suggest that
16 you're not really involved in developing the TPMs
17 or have any role in establishing those, the access
18 controls that exist on the firmware on the
19 entertainment system?

20 MR. WILLIAMS: I don't think that's
21 entirely correct. So, I'm here on behalf of the
22 Trade Association, which is made up of multiple
23 members who, of course, are competitors within the
24 same industry. And I'm not privy to all of their
25 individual contracts with the device manufacturers

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 or with the streaming service providers, for
2 example.

3 My understanding is that the members
4 would look for some level of protection to be
5 promised by the service providers and/or device
6 manufacturers. Whether they actually get to
7 consult on the individual TPMs that are used, I can't
8 say for sure, but I'm sure that they would like to
9 be involved in that process.

10 So, I don't think that they're just
11 incidentally protected. I think the device
12 manufacturers, in consultation with the
13 subscription services and others, would work out
14 an ecosystem that they feel meets whatever
15 contractual obligations they may have to provide
16 protection on the content. I don't believe the
17 content would be licensed unless there was some
18 representation of the ability to carry through on
19 the nature of the license, which is usually limited
20 to access in very specific ways, certain numbers
21 of copies, certain numbers of time-limited
22 downloads, whether you have premium access that
23 involves no advertisements or a lower level of
24 access that involves advertisements. And so, I
25 think there would be some level of protection built

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 into the licensing structure. I don't think that
2 the record companies or other copyright owners would
3 have complete control over exactly how that's
4 implemented.

5 And so, I think what Mr. Bell was trying
6 to say is that he doesn't necessarily know how every
7 little piece of the system might work, but that his
8 expectation, going into a deal like that, is that
9 there will be protections in place, and that one
10 of those protections, in his view, is the underlying
11 lock on the firmware.

12 MS. SMITH: So, I see a few placards up.
13 And I wonder, does everyone want to speak about
14 vehicles specifically? Because I think, then, we
15 would like to move on to -- Mr. Turnbull, you do?

16 MR. TURNBULL: I want to answer the
17 particular question you just posed ---

18 MS. SMITH: Okay.

19 MR. TURNBULL: -- and make it clear that
20 both AACS LA and DVD CCA are consortia, in one case,
21 an LLC, and in the other, a 501(c)(6), including
22 studio members. And so, they are very much, AACS
23 and CSS are very much the interest of and property
24 of, if you will, the content owners as well as
25 the -- and, in both instances, the content owners

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 have third-party beneficiary rights with respect
2 to every implementation of the system, in the case
3 that it's not implemented the way it's supposed to
4 be.

5 MR. AMER: Yes, and that's helpful.
6 And I understand that that's true with respect to
7 your association and DVDs and DVD players.

8 And so, I guess what I'm asking is
9 whether that situation and the situation that exists
10 with video games is different from one in which
11 content owners, music companies, are allowing
12 content to be streamed to vehicles through services
13 like Pandora or Spotify or Sirius Radio.

14 It doesn't seem to me that there is the
15 same level of involvement -- and you can correct
16 me if I'm wrong -- in developing the TPMs, or, in
17 any case, whatever TPM Sirius and Pandora may have
18 are sort of tied to that particular service and are
19 separate from whatever access controls exist on the
20 firmware on the entertainment system in the vehicle.

21 MR. WILLIAMS: I think that the Office
22 has been wise to look at video game consoles as a
23 unique ecosystem and has done a good job with
24 recognizing that the access controls in place there
25 are all designed to further a copyright interest,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 both in the games and, also, in the other types of
2 expressive works that you can get to through a
3 console now, such as motion pictures and music.

4 And in that market, you can look at the
5 actual consoles as part of the video game ecosystem.
6 There are multiple types of expressive works
7 accessed through a vehicle entertainment system as
8 well. And I do think, as I was just saying, that
9 the copyright owners who make their works available
10 through those systems take into account the various
11 layers of protection before they go into licensing
12 agreements.

13 So, that I think is similar to the video
14 game space. It's not exactly the same market, but
15 it's that my clients' members look for protections
16 to be in place before they enter licensing
17 agreements. And I think that's also true of the
18 video game space.

19 MS. SMITH: Mr. Shore?

20 MR. SHORE: So, I wanted to comment on
21 the video games. I wanted to comment on the video
22 games because it strikes me that the industry, the
23 video game industry, in response to consumer
24 complaints several years ago, where customers
25 weren't going to be able to use their games, you

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 know, port them from one person's house to another,
2 because the DVD has sort of gone away, and now, it's
3 all stored in the cloud. They've made that
4 available to consumers. You can play your game on
5 my machine or on Jonathan's machine, because you're
6 not really accessing it on the machine. You're
7 accessing it in a cloud storage system.

8 So, I don't understand how you can kind
9 of have it both ways. How can you say that the system
10 is tied directly to the games, and then it's no,
11 but it's not tied to the games because you can take
12 it from machine to machine? I'm unclear on how you
13 reconcile those two things.

14 MS. SMITH: Sure.

15 MR. WILLIAMS: My understanding is that
16 an unjailbroken console would recognize whether or
17 not the copy that's being played is authenticated
18 and legitimate, regardless of whether it's accessed
19 through a disk or through a remote server. And so,
20 the TPMs on the console still further the copyright
21 interest, regardless of the method of
22 dissemination.

23 MR. SHORE: No, but it's not the TPM.
24 It's the fact that I access it with my username and
25 my password, right, that allows me to access the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 game, regardless of the machine?

2 Again, I don't think you can sit there
3 and say that the machine's -- well, anyway, I'm not
4 ---

5 MS. SMITH: All right. Mr. Band,
6 anything you would like to say about the telematics
7 and entertainment ECUs? And then, I will ask a
8 general question to you about expanding to other
9 devices and what specifically is in the record.

10 What is ORI sort of looking to do? Is
11 this --- there's not proposed regulatory language.
12 I wonder, would it be consumer devices or firmware
13 on a device, or how would we write this regulation,
14 if we were to recommend exactly what you're asking
15 for?

16 MR. BAND: Okay. So, first, I'll first
17 respond to the telematics. And so, in that sense,
18 in that context, I would just say that I have great
19 confidence in the Copyright Office to come up with
20 an exemption that gets to allowing -- or preventing
21 users from being, and car owners from being locked
22 into always going back to the manufacturer and the
23 dealer, but --- while at the same time not allowing
24 sort of like a torrent of hacking of these
25 entertainment devices that will lead to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 infringement.

2 But, beyond that, I think it's really
3 important, as you're fashioning the exemption and
4 tinkering with the wording, to realize we have a
5 little bit of a tail wagging the dog problem here,
6 which is, okay, so there's no question that there's
7 infringement out there and there's no question that
8 there's infringement of Blu-Rays and Blu-Ray disks,
9 and DVDs to probably a lesser extent now as that
10 technology is going away, and I guess streaming.

11 I mean, yes, that all happens, but
12 there's a lot of it, right? The amount that's ever
13 going to be happening by virtue of what's going on
14 in the car, and somehow hacking the entertainment
15 system in the car, is going to be a drop in the bucket
16 relative to what's going on out in the world.

17 Now weigh that against the fact that here
18 we have this -- every American has, or not every
19 American, I mean a lot of Americans, the vast
20 majority of Americans have cars or access to cars.
21 It's their largest investment other than their
22 house, right? And they spend huge amounts of money
23 in repairs, and so forth. And to what extent are
24 we going to restrict competition in that market,
25 in the repair market for automobiles and all kinds

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 of other devices, because there is some slight
2 possibility of some slight amount of infringement
3 relative to the vast amount of --

4 MS. SMITH: Just for a moment -- I hear
5 what you're saying. And I think one thing we're
6 trying to put our finger on is how are these
7 Americans being prevented from repairing,
8 diagnosing, or modifying anything having to do with
9 this car they've owned, as opposed to their Sirius
10 subscription or the DVDs? So, can't we just leave
11 the line exactly where it is drawn and enable those
12 beneficial uses without, I guess, risking the
13 entertainment content? Like is it necessary to
14 tinker with the language to achieve what you're
15 talking about?

16 MR. BAND: Well, I would certainly say
17 that --

18 MS. SMITH: And where is it in the record
19 specifically?

20 MR. BAND: Well, the telematics I think
21 is the problematic -- you know, entertainment is
22 more discrete, but telematics is sort of this broad,
23 undefined area, and especially as we are getting
24 to more and more autonomous cars. I mean, I imagine
25 all of that is, arguably, telematics and ---

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. SMITH: But there's nothing in the
2 record about autonomous cars in the next three
3 years.

4 MR. BAND: Well, but, again, the term
5 telematics sweeps in a lot, and a lot of these
6 systems are bundled or will be bundled. And so
7 then, I do recall seeing something in the record
8 about these systems being bundled together and then,
9 that can have an impact on what you're able to
10 access, and that there's the problem of overbreadth.

11 So, do you want to have Mr. Lowe answer
12 that or do you want to go to the next --

13 MS. SMITH: If we could maybe move onto
14 devices, Mr. Lowe, unless it could be very quick.
15 Is there something?

16 MR. LOWE: Yes. I just want to, I guess,
17 add that the problem is that, going along and
18 defining them separately is becoming an issue
19 because the vehicles are becoming -- entertainment
20 system is becoming intertwined with other systems.
21 I mean, now a car owner goes on their app and they
22 can play --- stream music through their system, but
23 also get health data from the same system on their
24 car.

25 And so, the defining line, because of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 the way that manufacturers are designing their
2 vehicles to become big entertainment centers and
3 big computer information sources or being able to
4 control things from offline, I guess the Internet
5 of Things. It's creating -- it's making it harder
6 to define that line anymore.

7 And we're not interested in music or
8 hacking to get a Sirius XM or any of that. But, if
9 that definition, then, prevents us from repairing
10 a vehicle or car or getting data so that we can repair
11 the vehicle or car, then we're interested.

12 And we're just seeing the car's systems
13 becoming more intertwined with their entertainment
14 systems. I'm afraid that while --- the way things
15 were three years ago are not the way they are now
16 or the way they will be in the next year or two.
17 It's, technology is advancing so quickly on these
18 cars that the definition is becoming more difficult.

19 So, we're interested in finding that
20 definition to make sure they're protected, but at
21 the same time we need to be able to repair that car
22 as they're being configured now and in the future.

23 MS. SMITH: Okay. So, let's go back to
24 Mr. Band to talk about, again, in a perfect world,
25 what would this regulatory language say? Would it

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 be just software, period, or software embedded in
2 a device?

3 MR. BAND: It would be like software
4 that is necessary for the operation, you know,
5 contained in and controlling the function of
6 machines; that it would just be broadened, that it
7 wouldn't be limited to the motorized land vehicles.

8 MS. SMITH: Would it have to be by the
9 owner or authorized by the owner of the machine?

10 MR. BAND: Well, I mean, we have the same
11 third-party -- yes, authorized by the owner, yes.
12 I mean, obviously, we would have the same
13 third-party repair issue.

14 MS. SMITH: Right, but there would be
15 some authorization requirement? I mean, you're not
16 asking to go repair an ATM machine without telling
17 the bank, something like that, right?

18 MR. BAND: Oh, right, of course. Yes,
19 right, right. No, it would basically be, right,
20 again, that if you own a machine, you should be able
21 to get it repaired wherever you want it repaired;
22 that you shouldn't have to go to the authorized
23 dealer because that's always going to cost a lot
24 more.

25 MS. SMITH: I guess there's a couple of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 questions because there's different levers we're
2 playing with, because you also would like to make
3 improvements. And would the owner have to make the
4 improvements themselves or would a third party be
5 able to do that?

6 MR. BAND: Now, again, that's just
7 consistent with the previous discussion, that you
8 should be able to get third-party assistance. I
9 mean, that's --- in terms of what would be the
10 desirable exemption.

11 MS. SMITH: Maybe you could talk a
12 little more about what is specifically in the
13 record? For example, the written comments
14 suggested -- made a reference to compilations of
15 data, but I don't know that there's any record
16 supporting the need to circumvent something,
17 protecting compilations of data or the specific
18 types of devices. And so we need to draw something
19 based on whether there's likely to be non-infringing
20 uses, what types of machines --

21 MR. BAND: Right. So, the members of
22 the ORI are companies or associations like the
23 Association of Service and Computer Dealers. So,
24 they repair servers, for example, or routers or
25 switches. Or there are members who would be

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 repairing ---

2 MS. SMITH: So, your members are not
3 individuals?

4 MR. BAND: So, our members typically
5 would not be the individuals. These would be the
6 people who would be in the service business. So,
7 they would want to be the people who would be
8 providing the circumvention service, but they're
9 not in the circumvention business. They're in the
10 repair business. But, to do that, they would need
11 to engage in the circumvention.

12 But we also represent the interests of
13 the owners themselves by allowing them to exercise
14 ownership rights in their property.

15 MR. AMER: Could I ask about that
16 modification aspect of your proposal? I mean, as
17 you know, in 2015, we recommended, and the Librarian
18 granted, an exemption that would extend to
19 modification, that would allow circumvention for
20 the purpose of lawful modification of a vehicle
21 function. And we, as we talked about, excluded the
22 entertainment system and the telematics, because
23 we were comfortable, I think, that if you're sort
24 of cabining the activity to modification of a
25 vehicle function, something that is in controls,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 the operation of the vehicle, we were comfortable
2 that that was likely to be non-infringing as a
3 general matter.

4 I think the concern is, if we expand that
5 to all devices, can we sort of say categorically
6 that any modification of software embedded in the
7 device is categorically going to be non-infringing?
8 And I understand sort of an answer to that is to
9 say, well, we could define the exemption as
10 non-infringing modifications. But does that get a
11 little bit circular, I think?

12 I mean, our job is to sort of identify
13 a class of non-infringing uses and to determine
14 whether that activity is non-infringing. So, if we
15 just sort of say, well, you can do whatever you want
16 as long as it's non-infringing, are we sort of kind
17 of exceeding the scope of this rulemaking?

18 MR. BAND: No, I don't think so,
19 especially if you say, you define the modification
20 for the purpose of engaging in a non-infringing
21 activity. And especially if we're talking about
22 refrigerators and toasters, and those kinds of
23 devices, I mean, there is no possibility of
24 infringing activity to begin with. So, I don't see
25 a problem. I don't think that this would lead to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 abuse.

2 And I think, again, this is just a
3 recognition of reality, that we're sort of moving
4 in this direction. The society is moving in this
5 direction. Technology is moving in this direction.
6 And again, it was never the intent of section 1201
7 to in any way sort of regulate the U.S. economy and
8 restrict the ability, restrict the competition in
9 repair and aftermarkets in the entire economy.

10 MS. SMITH: Well, let's take in the case
11 of a software product where the software copyright
12 owner has the exclusive rights, repair, derivative
13 works. How would this proposed exemption tread on
14 that or not tread on that? How could we protect that
15 ability, which I think 1201 was also not supposed
16 to change that contour?

17 MR. BAND: So, give me --- explain the
18 example and what is it ---

19 MS. SMITH: You have listed toys, right?
20 What if you change the software in a toy to do
21 something else that is expressive, or speak in a
22 different language, if it's a talking toy? Is that
23 likely to be non-infringing? Does it matter if it's
24 commercial or if it's just me changing it for a toy
25 that's in my house?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. BAND: Well, I suppose, depending on
2 the situation, that you could end up engaging -- it
3 could be creating a derivative work, and that would
4 be non-infringing and that would not be permitted.

5 MS. SMITH: Mr. Williams?

6 MR. WILLIAMS: Thank you.

7 This is a big topic, and I understand
8 we're going to spend some time on it in Los Angeles.

9 MS. SMITH: Correct.

10 MR. WILLIAMS: But I just wanted to
11 briefly respond to some of what Jonathan's had to
12 say.

13 I appreciate that Jonathan is not
14 interested in enabling unauthorized access to the
15 types of products and content that my clients
16 disseminate and that he acknowledges that 1201 at
17 least is about not only infringement, but also
18 unauthorized access.

19 But my point of view, and I think the
20 point of view of the Office in the past, has been
21 that the proponent has to draw a distinction, define
22 a class of works that the Office can look at and
23 say, okay, that is not going to harm copyright owners
24 and that is a definable subset of copyrightable
25 works or devices.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And the current proposal to just cover
2 all devices for any type of repair, any type of
3 modification, just goes very far beyond that. So,
4 in our opposition, we said, well, at the very least,
5 exclude devices that access expressive works of the
6 type that my clients distribute.

7 MS. SMITH: I mean, we cannot write in
8 a regulation, except for devices that include
9 content that your clients distribute.

10 (Laughter.)

11 I mean, how would that work?

12 MR. WILLIAMS: We said expressive
13 works, and then, I was saying, of the type that my
14 clients are interested in. And the response was,
15 well, there's lots of general purpose devices that
16 you might also be able to access those types of work
17 through. And I think that might be true, but
18 there's nothing really in the record about a need
19 to repair or modify those types of devices, even
20 with respect to laptops. I think there's about
21 three sentences in the EFF's submission that says
22 that, in some circumstances, someone might need to
23 circumvent to repair a laptop.

24 And I just don't think that record has
25 been established. And if they want to expand this

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 beyond automobiles, which we did not oppose renewal
2 of the automobile exemption, I think they have the
3 burden of defining some category of devices that
4 will not harm copyright owners. And I don't think
5 there's enough evidence to come even close to doing
6 that. If you go through the EFF's submissions,
7 there's a small handful of very specific devices
8 that they've identified that they want to facilitate
9 repair or modification of, and it's really just
10 modification. There's very, very little on repair.

11 And some of them, I don't think, just
12 based on the very small amount of information they
13 provided, would even be non-infringing. I don't
14 think that circumventing to modify the software in
15 a robotic dog, so that it does additional things
16 that you want it to do, is --

17 MS. SMITH: Well, Mr. Band just said it
18 might be infringing.

19 MR. WILLIAMS: Excuse me?

20 MS. SMITH: Mr. Band just said it may be
21 infringing.

22 MR. WILLIAMS: Right. And in the 1201
23 study and the software study, you've said it's very
24 difficult to draw a line between embedded software
25 in devices that are not furthering traditional

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 copyright interests and those that are. And on that
2 basis, you declined to exclude software in embedded
3 devices from 1201 as a recommendation.

4 MS. SMITH: Well, we have, for example,
5 granted a jailbreaking exemption for multipurpose
6 devices and smart televisions, and certain other
7 devices, but excluded it for video game consoles,
8 for example. Why couldn't we do something similar
9 here?

10 I keep looking to Mr. Band because his
11 comment was joint with EFF. But they talked through
12 Internet of Things, appliances, peripherals,
13 computers, toys, vehicles, and environmental
14 automation systems. I mean, it may be a little bit
15 of a mouthful, but why not list that and say,
16 excluding devices that are primarily media playback
17 devices, or whatever, however we would term this
18 expressive content device?

19 MR. WILLIAMS: Right. So, I think if
20 you were inclined to do that -- and I don't think
21 they've built a record to justify you doing that -- I
22 would come at it in the opposite direction. Instead
23 of trying to exclude media playback devices, I would
24 look at the record. I would say, here's the exact
25 types of devices that they've identified, that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 they've provided evidence on. I would go through
2 each of those examples and see whether, like the
3 robotic dog, it might be infringing, or like another
4 product, like a lightbulb, maybe it's not. And
5 then, I would include a specific list of devices,
6 the way you did with automobiles. I'm not saying
7 you should do that again, but that's more of the
8 approach that I would take.

9 I also think that, since the exemptions
10 were granted in the spaces that you're referencing,
11 we now have a new opinion from the Federal Circuit
12 applying Ninth Circuit law in Oracle v. Google. And
13 I would emphasize that I think you should take a
14 careful look at that because it approaches software
15 modification and software copying I think in a
16 different way than the Office has looked at it. And
17 I think it might shed some light on the fact that
18 just because someone wants to copy and modify
19 software doesn't mean that it's non-infringing just
20 because the software might have some functional
21 aspects.

22 MS. SMITH: Does that really bear on the
23 case of a non-commercial use? I don't know that it
24 would, you know --- just to start, there's obviously
25 a lot in that opinion.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. WILLIAMS: I'm sorry, you said on a
2 non-commercial use?

3 MS. SMITH: Right.

4 MR. WILLIAMS: Well, I think it would
5 because I think the discussion of commerciality in
6 the opinion is only a piece of it. And if you look
7 at that, they're actually very express about the
8 fact that commercial in the fair use landscape
9 doesn't only mean that you're out there selling a
10 product in the market. It also can mean that you
11 avoid paying the customer in price for the conduct
12 you're engaging in. And so, I think even that issue
13 is a nuanced issue when you read the opinion, but
14 there's also a lot in there that goes beyond just
15 pure commercial conduct.

16 MR. AMER: So, Mr. Turnbull, I think you
17 were next.

18 But, if it's okay, could I just ask Mr.
19 Band quickly to respond to what Mr. Williams said?
20 And specifically, do you think it's feasible to sort
21 of define the exemption so as to exclude devices
22 that provide access to works other than computer
23 programs, or something like that? Is that sort of
24 a feasible approach or would that exclude more than
25 you think is necessary?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. BAND: It could possibly be worded
2 in a way that, certainly, in terms of entertainment
3 products or entertainment software. I mean, there
4 probably is a way to word it so that it's much less
5 likely to tread on the interests of that industry,
6 or, again, that would facilitate infringement,
7 which at the end of the day is what this is all really
8 about.

9 But I just wanted to also respond to two
10 other points. One is, in terms of Oracle v. Google,
11 I wasn't expecting to talk about that today. And
12 I think it's wrongly decided in 25 different ways.
13 And so, we can talk about that at some other point.

14 But I do think that, in terms of the
15 record and in terms of sort of like how do you
16 approach this, do you kind of approach it by saying
17 everything is permitted or everything is
18 prohibited? You would have a long list or a narrow
19 list.

20 I think, again, we're here because
21 Congress sort of, perhaps unfortunately, decided
22 to start with a very broad prohibition on
23 circumvention. Instead of saying circumvention
24 for the purpose of infringing activity, which is
25 what they should have done, that's not what they

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 did. They instead came up with this really broad
2 prohibition, and then with a few specific
3 exceptions, and then with this rulemaking. So they
4 took this huge, broad-brush approach. And now,
5 we're sort of dealing with this, trying to clean
6 up this mess, frankly, that no one ever intended,
7 whether it's automobiles or whatever.

8 And so I think given that, then at least
9 for this exemption when you're dealing with embedded
10 software, I think it does make sense to start with
11 a broad exemption with a few carveouts as opposed
12 to a narrow exemption where you say, okay, well,
13 here we have an example about a thermostat. So
14 we'll allow thermostats, but not other household
15 systems. I mean, I just think that that's
16 completely unworkable.

17 And in terms of the evidence, there is
18 a bit of a chicken-and-egg problem here, which is,
19 okay, here we have a broad prohibition. And then
20 you say, well give us examples of people who are
21 breaking the law or who want to break the law.

22 MS. SMITH: I don't think that. I
23 think --

24 MR. BAND: So, we know we have this huge
25 auto repair industry, and this industry has been

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 willing to step forward and say, you know, we need
2 an exemption to provide the service. But, you know,
3 I've got to believe that if all this is going on
4 in the automotive area, it's going on in the
5 motorboat area, right? Because those engines are
6 just as complicated.

7 MS. SMITH: I appreciate that
8 perspective, and some of it I think is relevant to
9 the policy issues and the policy study of what the
10 statute should be. But here in this rulemaking, we
11 have to look at whether or not there are adverse
12 effects and non-infringing uses. And the Commerce
13 Committee report says those should be distinct,
14 measurable, and verifiable. So the Copyright
15 Office cannot necessarily grant an exemption based
16 on, I've got to believe. We've got to look at what
17 evidence there is, and we will look to everyone to
18 tell us the burden of production and how these TPMs
19 work, and what is going on.

20 So I wonder, tying into that, if you can
21 provide -- what devices, is there a need for repair
22 or for modification? Do your, I guess, member
23 companies -- or I don't know -- your members, do
24 they have competitors who offer authorized repair
25 services already? Are consumers finding it

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 difficult to get their appliances repaired?

2 MR. BAND: So, yes, it is an ongoing
3 issue in the computer space. And this goes back to
4 section 117 and MAI v. Peak. I mean, this is a
5 long-term struggle between the independent repair
6 folks in the computer area, in telecommunications
7 equipment, and the original equipment
8 manufacturers and their authorized repair people.
9 And it's a constant issue.

10 So whether it's --- I mean, an issue that
11 keeps on coming up now is that, if you repair a Cisco
12 device with a perfectly compatible piece, but it's
13 not a Cisco piece, that you get an error message,
14 right? And then that sort of causes the users to
15 freak out, and they say, wait a minute, why am I
16 getting this error message?

17 Now is that an effective technological
18 protection measure? I don't know. Probably not.
19 But, if we ---

20 (Simultaneous speaking.)

21 MS. SMITH: --- then do you need an
22 exemption to circumvent it?

23 MR. BAND: Well, probably not, but
24 that's just an example of the kinds of -- there is
25 this ongoing effort by manufacturers to prevent

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 independent repair. And our understanding is that
2 there are other technological measures that people
3 might have to repair, but people aren't willing to
4 sort of raise their hand and say yes, I'm doing this
5 to engage in this kind of activity.

6 MR. AMER: Can I ask, how would your
7 proposal apply in situations where a TPM is
8 controlling access to more than one type of work?
9 So it's --- I mean, it seems like that is really
10 at the heart of the concern here, I think. I mean,
11 to the extent we're talking about software that is
12 embedded in a device and does nothing other than
13 to control a device function, that's one thing. But
14 if the same access control is preventing access to
15 something other than a computer program, you know,
16 if it's protecting access to more than one type of
17 work, how would your proposal apply in that
18 situation?

19 Would you say that you should still be
20 able to access the software, notwithstanding that
21 it's controlling access to another type of work?
22 Or would you be limited to computer programs that
23 are controlling the device? I mean, is it --- and
24 if it's the latter, is it feasible to limit it in
25 such a way or does that sort of throw the baby out

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 with the bath water in terms of what you want to
2 do?

3 MR. BAND: Well, again, it's hard to
4 talk in response to a hypothetical like that. But
5 I suppose I would say, you know, we would want it
6 as broad as possible, but we would understand that
7 you would be limited by (a) the statutory authority
8 and (b) the competing interests. And certainly, at
9 this point, we're looking at sort of like based on
10 the wording of the existing exemption and saying,
11 okay, contained in and control the functioning of.
12 And that's what we're talking about, but going
13 beyond, you know, the motorized land vehicle because
14 that seems unduly narrow.

15 MS. SMITH: Actually, I have one
16 question on that, just going back maybe to cars,
17 I apologize, but -- so, MEMA and AFBF have requested,
18 I think, language saying that control or assist in
19 the function of, or maybe, Mr. Lowe, you said that
20 facilitate the function of. Is there any specific
21 examples of things that you would be able to do if
22 we added that language and that you are not able
23 to do now related to cars or other devices? I am
24 wondering, is control the functioning of specific
25 enough already?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. LOWE: We submitted this testimony,
2 or was it MEMA?

3 MS. SMITH: It was AFBF and Auto Care
4 Association with CTA. You said control or
5 facilitate. It may have been inadvertent.

6 MR. LOWE: To control? So, to modify
7 the code?

8 MS. SMITH: Yes. Why is the word
9 facilitate -- what is that? What work would that
10 be --

11 MR. LOWE: Well, I mean, an example, I
12 think what we're talking about, it was an example
13 of the Farm Bureau submission which talked about
14 the gentleman who developed an emissions control
15 device that would reduce emissions from
16 agricultural equipment, and would have to modify
17 the code to accept the new part on that car, but
18 would not be able to do it under the current system.

19 MS. SMITH: Okay.

20 MR. LOWE: Okay?

21 MS. SMITH: Mr. Williams?

22 MR. WILLIAMS: Yes, I just wanted to
23 quickly address something Jonathan mentioned that
24 I think kind of highlights the difficulty with the
25 line drawing that would be involved with trying to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 carve out certain types of devices and then cover
2 all the others, which is, he referred to trying to
3 exclude entertainment works. And that's very
4 important to us, of course, but we also are here
5 representing book publishers and journal
6 publishers, and they produce entertainment-related
7 novels. They also produce very scholarly-oriented
8 textbooks or things of that nature that wouldn't
9 necessarily be treated as entertainment.

10 So if you just excluded devices that
11 access entertainment products, they might not be
12 carved out. And so, I think that kind of line
13 drawing is very difficult to do. And again, I think
14 it should be on the proponents to offer a workable
15 definition. Otherwise, I think you should take a
16 look at the actual devices in the record and see
17 if they've met the burden.

18 MS. SMITH: Sure. Well, I mean, you use
19 the phrase used to access expressive works.
20 Obviously, like a refrigerator is not typically in
21 that category, and repairing the software on a
22 refrigerator, I don't know if there's, you know,
23 improvements you can do to your refrigerator code.

24 I mean, if there was a sufficient record
25 on that, would that --- as we consider the statutory

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 factors, I'm not sure how that would be risky, I
2 guess, to the availability of copyrighted works
3 generally or to, you know, things that your clients
4 care about.

5 MR. WILLIAMS: Sure. Yes, I appreciate
6 the example. I think there are now refrigerators
7 with TVs built in. But setting that aside, I think,
8 yes, if there was a sufficient record on
9 refrigerators, and if you looked at that and you
10 could tell what the proponents were looking to do,
11 and you felt the need to grant an exemption, we
12 wouldn't necessarily have an objection to that.

13 I do think when you're talking about
14 modifying the software in the refrigerator, you get
15 to something that's quite distinct just from
16 repairing it and restoring it to the normal
17 functionality that it had previous to the
18 circumvention. But, yes.

19 MR. AMER: But what about my question to
20 Mr. Band? I mean, rather than having to sort of
21 delineate different types of devices, could you just
22 say -- and we could be clear about this in the text
23 of the exemption -- this applies only to
24 circumvention of access controls to computer
25 programs controlling the functioning of a device.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And if the same TPM that controls the operation of
2 your refrigerator also somehow controls the
3 refrigerator's ability to get Sirius Radio, or
4 whatever, then you're out of luck, you know, because
5 it's also, we haven't granted an exemption allowing
6 you to circumvent access controls to anything other
7 than a computer program. And you're sort of at your
8 peril to determine whether this access control is
9 limited to the firmware or it controls something
10 else. What about that approach?

11 MR. WILLIAMS: Sure. I mean, that's
12 certainly preferable to what has been requested.
13 I think there are some problems with it. So, as
14 we've discussed earlier, you've gone through
15 previous records related to video game consoles.
16 Accessing that computer program on the console to
17 modify the console ultimately results in
18 unauthorized access to video games. Now video
19 games are computer programs. They're also
20 audiovisual works. So maybe proper drafting would
21 exclude video games because they're also
22 audiovisual works.

23 But I am sure that there are also all
24 types of expressive computer programs that
25 unauthorized access could be enabled to through

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 circumvention to access some other computer
2 program. So, I think we're always willing to take
3 a look at drafting and respond to letters and try
4 to be helpful, but I don't see that as necessarily
5 a perfect solution.

6 MS. SMITH: Yes, Mr. Turnbull?

7 MR. TURNBULL: Well, now two points.
8 One may have passed the discussion by some time ago.
9 But I was --- originally put my card up to respond
10 to Mr. Band's formulation that talked about
11 circumvention for any non-infringing activity, and
12 simply wanted to make the point that in relation
13 to DVD and Blu-Ray exemptions that have been
14 formulated precisely that way have been rejected
15 uniformly by the Copyright Office and the Librarian.
16 And I wouldn't want this to be some kind of back
17 door to that kind of broad-based exemption.

18 The second point, however, came up just
19 now. And that is that I think you need to be careful
20 because Blu-Ray players, DVD players have what would
21 normally be called computer programs and firmware.
22 And so --- and those control the CSS and the AACS
23 functionality of the product. And so, I would be
24 concerned about an exemption that talked about
25 something that, a computer program that performed

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 -- that controls the functioning of the product and
2 including firmware for that, because that would
3 include the AACCS application on the Blu-Ray player.

4 MS. SMITH: Is that right? So, if you
5 were to circumvent AACCS, it would go on like a
6 player-by-player basis as opposed to work-by-work?
7 You know, it's not movie-by-movie. It's the
8 specific Blu-Ray player would get, I guess, its keys
9 revoked. Or how does the technology work?

10 MR. TURNBULL: I'm sorry. I mean, a
11 Blu-Ray player, taking our latest incarnation, AACCS
12 2.0, which we'll have some discussion about
13 tomorrow, but there are requirements that the
14 firmware be upgradeable. So that, if the player
15 turns out to be hacked for some reason, that the
16 firmware implementing the AACCS functionality must
17 be upgradeable, so that that can be corrected. And
18 that, so --- and what you're actually doing is
19 downloading a new computer program.

20 MS. SMITH: Thank you.

21 Mr. Shore?

22 MR. SHORE: Yes, you know, we laugh
23 about the TV and the refrigerator example. But if
24 you don't adopt a broad definition, ultimately, you
25 could end up with a system where the refrigerator,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 the microwave, all of these sort of broke
2 technologies that we don't really think are
3 problematic, you end up embedding some sort of
4 technology that could be used to access creative
5 works, and then it's thrown out the window, right?
6 Then you can't have that particular refrigerator
7 because there's either a controller in there or it
8 has a TV or it has some system by which you can,
9 you know, hack Pandora.

10 And I think that's a pretty perverse
11 incentive to start really rolling back and limiting
12 what devices people can then repair or use
13 third-party maintenance to repair.

14 MS. SMITH: And do you have a sense for
15 whether these devices, right now, they're on ---
16 there's a need to repair them or there's a need for
17 third-party repair?

18 MR. SHORE: Well there's a pretty --- I
19 mean, we actually have a study we did a few years
20 ago on the size of the resell and repair market that
21 we would be happy to share. It wasn't directed at
22 this particular issue, but it would give you some
23 sense of scope and scale of what's out there.

24 MS. SMITH: Did you send us that?

25 MR. SHORE: What's that?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. SMITH: Was it submitted?

2 MR. SHORE: No, no, no, because it
3 wasn't done for this purpose. It was something
4 unrelated. But it might be of interest to you.

5 But it's a pretty sizable market and it
6 extends beyond just computers. It is, it's
7 refrigerators. It's the technology in your home.
8 But it's also a lot of -- we're not talking about
9 just laptops -- we're talking about multimillion
10 dollar robotic arms that need to be repaired and
11 machines of that magnitude.

12 We have members that do repairs for
13 government agencies that have very complicated
14 technology systems that are off-warranty or that
15 need a part that isn't made anymore in order to make
16 the technology work.

17 But we can --- I'm happy to share with
18 you --

19 MS. SMITH: Well, I guess in that
20 example section 1201 is not posing a barrier ---

21 MR. SHORE: Sure. Right.

22 MS. SMITH: --- it's going on, right?

23 MR. SHORE: Right.

24 MS. SMITH: I mean, what are the types
25 of repairs that I guess there's a need or a desire

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to do that cannot currently be fulfilled because
2 of section 1201?

3 MR. SHORE: Well, it's the things that
4 our members' servers, routers, computers, I mean
5 all of the things that the other side claims could
6 be used to then access protected works.

7 Again, we have --- you can drive up and
8 down any street in northern Virginia and you see
9 these shops, some of whom are members and some of
10 whom aren't. And they have particular challenges
11 often accessing the firmware because of the
12 limitations that are placed on by the manufacturers.
13 In fact, you go to their websites, and they tell
14 you that you're violating the terms of service if
15 you access the underlying firmware.

16 MS. SMITH: But terms of service is
17 separate from section 1201.

18 MR. SHORE: I understand.

19 MS. SMITH: Do you turn people away
20 because of section 1201?

21 MR. SHORE: Right. It's always difficult
22 to get members to, as Jonathan pointed out, to stand
23 up and say exactly what they're doing, because
24 nobody -- particularly because most of these guys
25 are pretty small businesses. And it is, it's ---

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 granted, it's a challenge to ferret out who is
2 engaging in that type of behavior.

3 There is the possibility that we could
4 get some people to talk to you, you know, in a private
5 situation. But I just, I think that we see time and
6 time again the heavy hand of the large manufacturer
7 in other settings that -- and there's a case going
8 on at the ITC right now where some of the large
9 manufacturers are coming after some of the smaller
10 technology repair companies for a variety of issues.

11 MS. SMITH: Not for section 1201?

12 MR. SHORE: No, not for 1201. But
13 again, because it's very difficult to ask these
14 businesses to raise their hand and admit to engaging
15 in this type of behavior, it's a challenge; I concede
16 that.

17 MS. SMITH: Okay. So, I have a slightly
18 different question, which is maybe to start with
19 Mr. Band. But, when I read on the ORI comment on
20 modification, it is tilted towards like the personal
21 user tinkering with the device for their own
22 education or for non-commercial use. And I don't
23 really see, personally, the record having been built
24 out to make a case for commerciality of lawful
25 modifications. Do you agree or how would you think

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 that would change if it was outside of a device?
2 You own it; you bought it; you're tinkering with
3 it and you're using it yourself without distributing
4 it.

5 MR. BAND: Well, going back to your
6 section 1201 study, which was very good by the way,
7 drawing the line between commercial/non-commercial
8 gets very, very blurry and it's very hard. I guess
9 it was the computer-embedded software study which
10 was also very good. But there was an acknowledgment
11 that saying it's commercial/non-commercial gets
12 very blurry in this environment where this device,
13 which actually someone back there lent to me --

14 MS. SMITH: Well, here's the --

15 MR. BAND: You know, it can be used for
16 commercial and non-commercial purposes. And so,
17 that's why I'm not sure. I mean, even though a lot
18 of the modification, a lot of times a person would
19 want to modify it for a personal use, but it could
20 be modifying it for a business use as well.

21 MS. SMITH: But I guess where I'm going
22 is I'm trying to look at what potential uses have
23 been submitted in the record as being adversely
24 affected. For example, there's a current exemption
25 for non-commercial remixed videos which was based

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 on a record saying people want to engage in remixed
2 videos; this is for non-commercial purposes.
3 Because of that, the Office looked at it, said it's
4 likely to be fair use, in fact, under the fourth
5 factor.

6 And so, can we at least say there's -- at
7 least I don't think there's a record saying there
8 is commercial modification of devices which are not
9 vehicles. Do you agree with that? And if there's
10 something else in the record, let me know. Why
11 couldn't we say, if the Office were inclined to draw,
12 allow a lawful modification to other devices, that
13 it would need to be for non-commercial use?

14 MR. BAND: That would be certainly a
15 positive step if it were, you know --

16 MS. SMITH: I don't know that we could
17 say for commercial use because I don't know that
18 there's anything there.

19 MR. BAND: Right. Well, I guess the
20 only refinement on that is that, as opposed to the
21 remix situation, it's where you're modifying the
22 work. Here we're not modifying the work. We're
23 leaving the work intact. We're circumventing, I
24 mean, typically, it's --

25 MS. SMITH: No, no. You have the whole

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 submission; you would like to circumvent to modify
2 software. That would be modifying the work.

3 MR. BAND: Right, but a lot of times what
4 we're really trying to do is modify the device. But
5 I guess to modify the device, you might need to
6 modify the software. I mean, it just depends.

7 But the goal, whereas in the remix
8 situation the objective, the end purpose is to
9 change, to reuse a little bit or to change the work,
10 because that's the purpose, in our context, because
11 we don't care, nobody cares about the embedded
12 software, it's what you're able to do with it. And
13 it's the device that you're controlling. And so,
14 I think that could be an argument as to why the
15 restriction to non-commercial, it's different from
16 the remix situation. But it certainly is a positive
17 step forward.

18 MS. SMITH: Did you want to ask a
19 question?

20 MR. GOLDBERG: So, as we have been
21 having this discussion, it has kind of struck me
22 that, at least in the non-vehicle context, some of
23 what we're talking about actually starts to sound
24 kind of similar to the jailbreaking exemption and
25 to some of the proposals around that.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 So, I don't know if you've had an
2 opportunity to review the record in that context.
3 Do you think some of what you're asking for might
4 be covered by one of the jailbreaking proposals?

5 MR. BAND: Well, I mean, some of the
6 language might be, but in terms of the activity,
7 I mean, because jailbreaking, it's a different kind
8 of activity. I mean, it's sort of trying to have
9 these different apps that could be used on a system.

10 MS. SMITH: If not repair and if not
11 jailbreaking or interoperability, what types of
12 modifications specifically are you looking to do,
13 I guess? Could you give examples?

14 MR. BAND: Off the top, I'm not a
15 technologist, so I don't know what exactly the kinds
16 of modifications. I mean, certainly, our members,
17 as a general matter, are probably less interested
18 in modification and more in repair.

19 But, certainly, like the example that
20 Andrew gave about the robotic arms, when you are
21 repairing these robotic arms, I mean, it might come
22 from the manufacturer or originally be programmed
23 by the manufacturer to kind of go this way, but maybe
24 you want to now have it go this way. And that
25 requires circumventing the technological

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 protection measure, and it could be -- or it's
2 actually controlled by a laptop. And so, you have
3 to circumvent the software, the protection of the
4 software. And I guess that would be you have to
5 modify the software to make it go this way as opposed
6 to this way. But again, you don't care about the
7 software. I mean, you want to make sure that it's
8 going up or down. And I'm not sure any of the other
9 exemptions would allow you to do that.

10 MR. GOLDBERG: Yes, so certainly the
11 emphasis is not the same; that's true. But,
12 increasingly, a lot of these devices are, obviously,
13 controlled by software. And one of the reasons
14 people may jailbreak is to be able to run a different
15 app or to be able to change a setting in a particular
16 way.

17 And I wonder if, at least in some
18 situations, that might cover being able to move the
19 robotic arm in a different way, or something like
20 that, which, ultimately, is probably controlled by
21 software.

22 MR. BAND: Certainly, it's possible
23 that some of the activities would be, might be able
24 to use that exemption. And then, it could be,
25 certainly, as you mentioned, the interoperability

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 exemption, exception is certainly helpful when you
2 fall within the four corners of that exception. But
3 there's a lot of situations I think that would fall
4 into neither of those provisions.

5 MS. SMITH: Mr. Williams?

6 MR. WILLIAMS: I just wanted to quickly
7 say that, at least in the EFF comments, they kind
8 of toss in a couple of sentences about jailbreaking
9 video game consoles in this proposed class. And I
10 think that just emphasizes kind of the overbreadth
11 of what we're dealing with here. It covers so many
12 things, including things that the Office has built
13 extensive records on in the past and determined are
14 not worthy of exemptions and would lead to piracy,
15 and would lead to harm to copyright owners.

16 Even if you only are talking about
17 repair, there's prior records on video game console
18 repair, and that was not a justified exemption. And
19 there's a similar record this time on that issue
20 with evidence from ESA as to the availability of
21 repair, and really nothing on the other side.

22 So, I think you're putting your finger
23 on kind of an overbreadth issue with this exemption.
24 It includes a vast array of things that really need
25 to be looked at one-by-one to determine whether

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 there's harm involved.

2 MS. SALTMAN: Mr. Band, I have a
3 question for you. Sort of getting back to the
4 questions that Ms. Smith was asking, do you think
5 that the 1201(f), the provision in section 1201 that
6 exempts reverse engineering, would cover some of
7 the activities that you've described you feel fall
8 through the cracks here?

9 MR. BAND: Well, it certainly would
10 apply to some situations, but I don't think it would
11 apply to, let's say, all the aftermarket or repair
12 situations, because I think one of the things is
13 that my recollection is that it applies to
14 interoperability between two pieces of software.
15 And so, would it extend if it was between software
16 and hardware? And that's an example of where it
17 might not go far enough to allow --

18 MS. SALTMAN: Is there anything in the
19 record that sort of delineates these different types
20 of activities? Because I think that's maybe some
21 of the difficulty that we're having in this
22 discussion, is there's not a lot of record evidence
23 to point to about these types of activities that
24 you argue should be included in the exemption. It's
25 hard to know how to draw a line when there's not

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 a full record to explain what exactly the line
2 encompasses.

3 MR. BAND: No, I acknowledge that we
4 tried to find more evidence. As we discussed it,
5 it was difficult to get people to be as forthcoming
6 as one would like.

7 But, again, what is in the record, this
8 specific record, I mean, you do have your two
9 studies, the 1201 study and the embedded software
10 study, that do go into a lot of these issues. And
11 so, there is evidence out there.

12 MS. SMITH: Okay. I think if no one has
13 any more questions, we're a little bit over and we
14 need to start again promptly at 11:30. So, we'll
15 take a 20-minute break and, then, come back to
16 discuss Class 10.

17 Thank you.

18 (Whereupon, the above-entitled matter
19 went off the record at 11:12 a.m. and resumed at
20 11:31 a.m.)

21 MS. SMITH: Hello again, everybody,
22 thank you for coming. This is our second panel of
23 the day for the section 1201 rulemaking proceedings.
24 I think most all of you were either in the audience
25 or participated. Basically, what we will do after

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 introductions is try to narrow down the record and
2 hone in on areas of dispute or understand a little
3 bit better what is in the written comments.

4 This class is Class 10, Security
5 Research. Make sure to speak into your microphone,
6 and after you've spoken, if you can turn it off so
7 it doesn't create a problem with the networking.
8 And also I guess cellphones were creating a weird
9 feedback sound last time, so if you can keep your
10 cellphone away from the microphone, that seemed to
11 have been helping things.

12 So my name's Regan Smith, I'm Deputy
13 General Counsel of the Copyright Office. And maybe
14 we can go around and introduce ourselves, starting
15 with Jason and ending with Alex.

16 MR. SLOAN: Jason Sloan,
17 Attorney-Advisor in the General Counsel's Office
18 of the Copyright Office.

19 MS. SALTMAN: Julie Saltman, Assistant
20 General Counsel in the Copyright Office.

21 MR. AMER: Kevin Amer, Senior Counsel in
22 the Office of Policy and International Affairs, the
23 Copyright Office.

24 MS. CHAUVET: Anna Chauvet, Assistant
25 General Counsel at the Copyright Office.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. GOLDBERG: And I'm Rafi Goldberg.
2 I'm a Policy Analyst at the National
3 Telecommunications and Information
4 Administration.

5 MR. TRONCOSO: Hi, I'm Christian
6 Troncoso with BSA, the Software Alliance Policy
7 Director.

8 MR. ZUCK: I'm Jonathan Zuck from the
9 Innovators Network Foundation, but here speaking
10 on behalf of ACT, The App Association, of which I
11 was President for 20 years.

12 MR. MOHR: Chris Mohr, Software and
13 Information Industry Association.

14 MR. TAYLOR: David Taylor today here on
15 behalf of DVD CCA, and AACS LA.

16 MR. WILLIAMS: Matt Williams from
17 Mitchell, Silberberg & Knupp. I'm here for AAP,
18 ESA, MPAA, and RIAA.

19 MR. ENGLUND: Steve Englund from Jenner
20 & Block, here on behalf of what we've referred to
21 as the Election System Providers, Dominion, ES&S,
22 and Hart.

23 MS. WALSH: Kit Walsh from Electronic
24 Frontier Foundation. I'm here on behalf of
25 Professor Matthew Green.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. FREEMAN: Jay Freeman, SaurikIT,
2 the developer of Cydia, for jailbroken iPhones.

3 DR. HALL: Hi, everyone, my name is
4 Joseph Lorenzo Hall, the Chief Technologist at the
5 Center for Democracy and Technology.

6 MR. GEIGER: I'm Harley Geiger,
7 Director of Public Policy at Rapid7.

8 DR. HALDERMAN: I'm Alex Halderman, I'm
9 a security researcher and professor of computer
10 science and engineering at the University of
11 Michigan.

12 MR. REID: Blake Reid from the
13 Samuelson-Glushko Technology Law & Policy Clinic
14 at the University of Colorado. We're here
15 representing Professor Halderman and Professor Ed
16 Felten. Thanks.

17 MR. HILDEBRAND: Brett Hildebrand,
18 student attorney.

19 MR. KIMATA: And Alex Kimata, student
20 attorney at Samuelson-Glushko Clinic.

21 MS. SMITH: Okay, terrific. So I think
22 that this is our largest panel of the rulemaking,
23 so it's also our last panel of the day. If we need
24 to go over a little bit, we can, but we'll try to
25 make sure everyone gets a chance to say what they

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 need to say about the topics.

2 In terms of structure, I think we would
3 like to generally follow, there's been, so there's
4 an existing exemption for security research in the
5 statute. There's also a temporary exemption, which
6 the Register has concluded it's appropriate to
7 recommend renewal for. So we're really talking
8 about modifying that temporary exemption.

9 Now, Professors Felten and Halderman
10 have identified five specific areas, and I think
11 that plus Election Systems' specific questions, are
12 the six topic areas we'd look to focus on, although
13 of course if anything else comes up, we can look
14 at it under those lenses too.

15 But those would be sort of the buckets
16 upon which I think we'd plan to progress in our
17 questioning. So the first bucket I'd like to turn
18 to is the device limitation. So right now there's
19 a list of enumerated devices, all of which must be
20 lawfully acquired in order to engage in security
21 research. And I would like to understand, if this
22 regulatory exemption were to be recommended for
23 modification, how it should do so.

24 And I think the first question is whether
25 it could at least be limited to computer programs,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 or whether there's something in the record beyond
2 computer programs. So just tip your placard up if
3 you'd like to speak. Mr. Reid, Professor Reid.

4 MR. REID: Sure, so I think we're
5 comfortable with that piece of the existing
6 exemption, that it's recommended, or that it exempts
7 the study of computer programs.

8 We did make an allusion to ancillary
9 copyrighted works that might be included in computer
10 programs, so that those might be user interface
11 elements, or might be pictorial, graphical,
12 sculptural works or music, or something that might
13 be part of a piece of computer software that by
14 virtue of accessing that computer software by
15 breaking a TPM, you might, in an incidental way,
16 get access to that.

17 That's obviously not the target of the
18 circumvention. The target of the circumvention is
19 in every case the code, but we wanted to clarify
20 that piece of it. Other than that, that sort of
21 ancillary add-on, I think we're comfortable with
22 computer software.

23 MS. SMITH: Okay, I guess I wonder if
24 one, someone could speak to whether section 1201
25 is, what types of research section 1201 is currently

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 inhibiting, given, you know, factoring in the
2 regulatory exemption. Is it not possible to get
3 permission to engage in security research outside
4 of the current exemption? Ms. Walsh.

5 MS. WALSH: So Professor Green does
6 research and seeks to do research on devices that
7 are not clearly devices intended for use by
8 individual consumers. So these are things like
9 hardware security modules that are used to secure
10 credit card transactions. They're things like
11 industrial-grade firewalls that are used at a
12 business scale and not typically at an individual
13 scale. Things like non-implanted medical devices.

14 So if you look at an implanted, basically
15 a defibrillator that's implanted, there are a couple
16 of components of it. So you have the implanted
17 device, you have the home monitoring system, which
18 are referred to in the current exemption. But
19 another critical component of that system is the
20 programming wand that's used to interface with that.
21 And security vulnerabilities could be located there
22 that would threaten that system.

23 So that's, those are some examples, as
24 well as toll collection systems for public transit,
25 for vehicles, where there's a security research

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 need. There are potential vulnerabilities, and
2 when Professor Green approaches them, he has to take
3 a black boxing approach. So he's not able to
4 circumvent in order to look at the code. He has to
5 reverse engineer in a black box manner that doesn't
6 give you confidence that in fact there are
7 vulnerabilities.

8 It's just by looking at this small
9 portion of the attack surface, we can say there is
10 or is not a vulnerability here, but there's an
11 extensive additional attack surface that a
12 wrongdoer would be able to take advantage of that
13 good faith security researchers aren't able to vet.

14 MS. SMITH: So in the case of the toll
15 collection system, could he get permission to look
16 at it outside of this black box, to look at the actual
17 code? Or why is section 1201, or is not, the
18 obstacle to the research you would like to do?

19 MS. WALSH: Right, so for these devices,
20 independent security researchers like Professor
21 Green are not able to get authorization from the
22 rights holder in order to do that research.

23 MS. SMITH: What about the, is the
24 rights holder the same person as the owner of the
25 toll collection system?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. WALSH: I'm using rights holder, I
2 was using rights holder to refer to the copyright
3 owner as well as the TPM manufacturer, basically
4 anyone with standing to bring a suit under section
5 1201.

6 Being the owner of the toll collection
7 system doesn't necessarily mean that you're able
8 to do this work. So the exemption is necessary,
9 regardless of -- if you're asking would he be seeking
10 to do this research on the toll collection system
11 where the owner is not authorizing him do it --

12 MS. SMITH: That's part of it.

13 MS. WALSH: The answer is no.

14 MS. SMITH: Okay. Professor
15 Halderman.

16 DR. HALDERMAN: Yes, so there are a
17 number of different kinds of systems beyond what
18 the existing exemption permits that are of interest
19 to me and to other security researchers. Things
20 like traffic control systems, the systems that in
21 a municipality change the state of the traffic
22 signals. Can you access traffic lights and other
23 traffic control devices in order to cause them to
24 behave incorrectly.

25 Beyond that, things like the networking

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 equipment used by businesses and institutions or
2 internet service providers. The industrial
3 control equipment used by factories or used in other
4 industrial facilities. The avionics on airplanes
5 or the control software on drones that are used
6 commercially.

7 These are just a few examples of classes
8 of equipment well beyond consumer devices. In
9 fact, I would say that most security research, or
10 a large fraction of security research, really isn't
11 about devices that individual consumers are using.
12 But it's about devices that are critical to
13 business, to industry, to making the communications
14 networks and the systems that we all rely on operate
15 correctly and securely.

16 MS. SMITH: And do you agree with Ms.
17 Walsh that you're not seeking to do anything where
18 you wouldn't have permission from the sort of
19 systems owner, if not the rights holder or TPM holder
20 for example, whoever is in charge of the traffic
21 control system? And how do you go about getting
22 that permission in advance, or would you?

23 DR. HALDERMAN: So in general, security
24 researchers don't try to do research on systems
25 where they don't have some permission to come in

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 and access that system, except in cases of things
2 that are just generally available and observable
3 to the public.

4 Like you would go out, one category of
5 research there is just looking at the devices, at
6 the websites that are accessible on the internet
7 and asking questions about them broadly but in a
8 noninvasive way.

9 But in general, if I were, or another
10 researcher is going to perform testing on a device
11 or a piece of equipment, we're going to be doing
12 so with the permission of the device owner.

13 MS. SMITH: Mr. Geiger. Thank you.

14 MR. GEIGER: So I just wanted to make the
15 point that for some of these categories, some of
16 these other categories, we're not just necessarily
17 talking about scenarios where a researcher goes to
18 infrastructure held in another building or owned
19 by another person.

20 That in many cases, for example
21 avionics, you can actually buy this equipment
22 online. Like it's used equipment is actually
23 available for a price on eBay. You can just buy the
24 equipment and have it there.

25 Now, I don't know, you know, it's

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 available to an individual person, but may not
2 necessarily fit within the bounds of the device
3 description that is in the current rule. So I just
4 wanted to make clear that you can be the owner of
5 the hardware in research scenarios, and still be
6 outside of these device limitations.

7 And there are some very compelling, we
8 think some very serious cyber security issues in
9 several of the categories that Professor Halderman
10 just mentioned, not without limit being avionics
11 and drones.

12 Now, we're not advocating for a complete
13 removal of the device limit. I think that there
14 are, it makes sense to have some limits, given
15 potential negative scenarios, negative
16 externalities. But some of them we do think should
17 be included and reflected. You know, the --

18 MS. SMITH: How would you structure a
19 device limitation based on the record of abuses that
20 people seek to do and given what you said?

21 MR. GEIGER: I think that, A, I think
22 it's difficult. B, I think that the issue is going
23 to, it comes down to the devices designed for
24 individual consumer use or primarily for individual
25 consumer use. It creates a gray area for things

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 like small Office, home Office, but also for, as
2 I said, hardware that an individual consumer can
3 purchase.

4 So I guess I'll have to get back to you
5 on specific language, I just wanted the record to
6 reflect those points.

7 MR. AMER: Could I just clarify one
8 thing you said? So did you suggest that the
9 exemption as it's currently written would in some
10 cases not allow you to do the kind of research you're
11 talking about on a device that you've lawfully
12 purchased, as distinguished from the software?
13 Because you know, the exception talks about lawfully
14 acquired device or machine.

15 So that to me doesn't speak to the
16 concern about, you know, whether the software is
17 lawfully owned or whether it's licensed, or
18 something else.

19 MR. GEIGER: No, I'm not talking about
20 the lawfully acquired device; I was talking about
21 the limitation on the type of device.

22 MS. SMITH: In a consumer use.

23 MR. GEIGER: Right.

24 MR. AMER: I understand, so I mean I
25 understand that you're saying that you want to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 expand to systems that, you know, may not be a device
2 that you can, you know, to the extent you're talking
3 about infrastructure or something that's not a
4 device that you can own.

5 But I just, I thought I heard you say
6 that there was a concern that you couldn't, that
7 you would be, you know, limited in the research that
8 you could do on a device that you've lawfully
9 acquired, and I just wanted to clarify that.

10 MR. GEIGER: I thought I did clarify it.
11 So if you lawfully acquire the device but the device
12 is not one that is generally for consumer use.

13 MR. AMER: No, I understand, right.

14 MR. GEIGER: Yeah, that's, okay.

15 MS. SMITH: Mr. Hall.

16 DR. HALL: So certainly the statutory
17 exemption is inadequate. I think the Register's
18 report on 1201 made a good case for that. There are
19 a set of things here that we're particularly
20 concerned with. When it comes to, specifically to
21 gaining authorization, you had asked how difficult
22 is it to gain authorization.

23 This is only tangentially in the record
24 due to a footnote from the paper that we had, that
25 CDT had provided that we put into the record. There

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 was a study recently by Professor Stefan Savage
2 where they actually empirically tested the ability
3 to get affirmative authorization from companies to
4 do things like circumvention of TPMs.

5 And it was abysmally poor in terms of
6 the response. Academic researchers got a better
7 response than non-academic researchers. But even
8 academic researchers, I think it was in the ten or
9 twenty percent level of even trying as hard as you
10 can, trying to get authorization for these kinds
11 of things.

12 MS. SMITH: Well then, do you agree with
13 Ms. Walsh and I guess Professor Halderman that if
14 you couldn't get permission from the hardware owner
15 or the person who owns the thing, that you could
16 not, an exemption would be improper for the Office
17 to --

18 DR. HALL: So there are certainly forms
19 of security research that we value that don't, that
20 because of how they're designed, cannot by design
21 get an authorization. But those are not
22 necessarily in the circumvention of TPM space.

23 I can probably think of one involving
24 remote access to like locks, like doors and things
25 like that. But you don't want me to make something

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 up.

2 MS. SMITH: No.

3 DR. HALL: So I won't.

4 (Laughter.)

5 MS. SMITH: Ms. Walsh.

6 MS. WALSH: I want to make sure that the
7 potential confusion that arose from Mr. Geiger's
8 comment is resolved. I think what Mr. Geiger was
9 saying is there are devices that are not intended
10 for use by individual consumers, which you
11 nonetheless are able to purchase.

12 You can get old voting machines at a
13 county clerk's auction. You can get hardware
14 security modules and industrial grade firewall
15 equipment to do security research. So the mere fact
16 that you're able to acquire them doesn't seem to
17 clearly fall within the language of the existing
18 exemption.

19 MS. SMITH: Professor Reid.

20 MR. REID: Just to pick up on a couple
21 of points. I imagine we'll get in the subsequent
22 questions, into the question of your ability to
23 lawfully acquire a device, there's obviously been
24 some concerns raised by opponents in the record
25 about the extent to which restrictions on the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 transfer of, whether it's an ownership or a license
2 and software, and the extent to which those might
3 be intertwined with contractual provisions that
4 purport to prohibit resale and all of those sort
5 of things.

6 So we have highlighted in the record some
7 ambiguity about the lawfully acquired provision and
8 have asked to get rid of that, so I hope --

9 MS. SMITH: So that's another one of our
10 buckets and we are excited to talk about that a
11 little bit later.

12 MR. REID: Perfect. A couple of other
13 quick clarifications. One, I want to make sure, as
14 we're talking about getting permission from the
15 owner of the device or the system, that in many cases
16 is not going to be the copyright holder. And that
17 may be implicit from the discussion here, but I want
18 to make sure that's --

19 MS. SMITH: I think that's what we're
20 trying to build out. Because if you don't have the
21 permission from the person who owns the physical
22 device or you know, someone owns the physical device
23 or the actual device, or the copyright owner, it
24 becomes less obvious, to me at least, that 1201 is
25 the thing preventing you from engaging in the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 security research, for one. And two, it might
2 affect on infringing use analysis.

3 MR. REID: So just to give an example on
4 that front, we might talk about doing a security
5 research experiment on the HVAC system in a
6 building. Now, in a case like that, we're obviously
7 going to do coordination with the owner or the
8 operator of the building. So the folks who do
9 facilities management make sure that the
10 inhabitants of the building are safe and all of that
11 sort of thing.

12 However, the HVAC system might be
13 designed by a third-party vendor and encumbered with
14 some sort of technological protection measure with
15 whom we're not going to go seek permission. And we
16 are seeking to cover that scenario in the ambit of
17 this exemption. So I just want to make sure that
18 piece is clear.

19 MS. SMITH: Do you think the owner of the
20 HVAC software would not give permission, or?

21 MR. REID: I'll defer to Alex and Joe to
22 speak for that.

23 MS. SMITH: Any of the software people
24 know whether it's likely to, that permission is not
25 given for security research on these sort of, you

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 know, industrial-grade things? Professor
2 Halderman, I guess.

3 DR. HALDERMAN: The problem is most
4 often not that companies wouldn't give permission
5 if they were to fully analyze the question. It's
6 that companies are not reachable to contact for
7 security-related purposes.

8 So in many studies that I've done where
9 we've found vulnerabilities in large classes of
10 devices and gone to try to reach out to the
11 manufacturers afterwards, just to let them know
12 about the vulnerability, that we have a real problem
13 in their system that's affecting people and they
14 need to fix, they haven't been responsive or
15 reachable to those requests.

16 So if you're not a, directly a customer
17 of a vendor, you aren't the individual who bought
18 a support contract from them, the device is out of
19 service and no longer being supported, it can be
20 extremely difficult to get a company to act on such
21 a request.

22 MS. SMITH: Thank you. Mr. Troncoso.

23 MR. TRONCOSO: So I can't speak
24 specifically to whether HVAC or building owners or
25 operators do or do not give permission, but I think

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I just wanted to comment generally on the request
2 to remove the device limitation, just to point out
3 that I think the removal of that limitation is going
4 to make for just an exceptionally broad category
5 of exemption.

6 And I don't think that, you know, we need
7 to look closely at whether proponents of that
8 request are meeting their burden with respect to
9 that entire new class. And so it's worth pointing
10 that at least for like industrial control systems,
11 oftentimes there are regulatory requirements that
12 the owner or operator of an ICS in a highly regulated
13 industry needs to perform its own security testing
14 on those systems.

15 So that suggests to me that there are
16 opportunities for this type of independent
17 research, at least in some of the contexts that
18 are going to get dragged into this exemption if we
19 go down the path of sort of opening it up to all
20 forms of systems.

21 And so again, just, you know, not to
22 belabor the point, I think there certainly will be
23 categories of devices for which authorization to
24 perform security research is a viable path, if we
25 remove the device limitation entirely.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. SMITH: One question, and this,
2 maybe Mr. Englund can also speak to this too, is,
3 I've noticed that everyone has been talking about
4 devices that are not consumer devices but still
5 using the word device, if that makes sense.

6 And whether if an exemption were
7 broadened based on this description of uses people,
8 things people want to research, should be devices
9 which are not necessarily consumer devices, whether
10 this solves some of the concern that the Office might
11 be granting an exemption for beyond a narrow and
12 focused subset of work. So if it is software on
13 devices. So starting with Mr. Englund.

14 MR. ENGLUND: Sure, so to take your last
15 question first, I think the proposal that's been
16 made here is beyond the scope of a permissible class
17 in this proceeding. It is essentially all
18 software, once you remove the device limitation.

19 MS. SMITH: I guess I just said what if
20 it's software on devices?

21 MR. ENGLUND: So I think that is
22 narrower, but there are a lot of devices in the
23 world. And that kind of goes to your previous
24 question and Mr. Troncoso's response, which I wanted
25 to follow up with, because that's very much the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 position of the election systems providers.

2 Once you've removed the device
3 limitation, there are two kinds of election software
4 that are relevant, one of which is addressed by your
5 suggestion of referring to a device and one not.

6 So there is software in the election
7 context that is intended for use on specific kinds
8 of devices, like voting machines or tabulators.
9 There is also software that is intended to run on
10 general purpose computers. And so if you retain
11 some kind of special purpose device limitation, you
12 would at least take the general purpose computer
13 software off the table.

14 But following up on Mr. Troncoso's
15 point, in the election context, there are abundant
16 opportunities for independent testing and consent
17 when it's to be had. So as described in our written
18 comments, the Election Systems Commission has
19 voluntary voting system guidelines.

20 Many products in the marketplace,
21 voting products in the marketplace, are
22 independently tested by federally certified labs
23 to conform to those. Many states and localities
24 have their own certification requirements. As part
25 of the procurement process, there can potentially

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 be additional testing.

2 And the election systems providers and
3 states and localities cooperate to authorize
4 testing in these contexts.

5 But, and there's been other testing
6 beyond the certification and procurement
7 processes. There was, in 2007 in California, a
8 so-called top-to-bottom review. And one of the
9 proponents talks about that in his comments.

10 But the evidence in the record shows that
11 the states and localities are not particularly
12 interested in working with independent testing
13 organizations and independent researchers to do
14 testing beyond that.

15 I heard from the National Association
16 of Secretaries of State, and from the Secretary of
17 State of North Dakota, that they like the
18 certification and testing processes that exist and
19 are not interested in having people testing without
20 consent.

21 MS. SMITH: Okay, Mr. Williams.

22 MR. WILLIAMS: Thank you. Most of the
23 devices that are of interest to my clients are
24 already covered by the consumer devices provision.
25 So I think from our point of view, what you're

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 describing, just expanding it to a somewhat broader
2 category of devices is of less concern than some
3 of the other things that have been mentioned about
4 network access or database access.

5 And I appreciate Blake saying that, you
6 know, that they're not looking to get into things
7 other than software, but that sometimes
8 incidentally or as an ancillary matter, they may
9 also access other types of works, and I think he
10 even included music. That's really our concern, is
11 that if you go beyond devices and open up things
12 like online databases of content, that could really
13 lead to some significant harms.

14 And although I know the individuals in
15 the room today are acting in good faith and doing
16 their best to avoid any mistakes, not everyone is
17 always going to be able to avoid mistakes. And
18 so I just think it's pretty dangerous to open up
19 kind of testing of internet-based databases of
20 content.

21 MS. SMITH: Okay, so Professor
22 Halderman, if you could do that question, and I don't
23 know if it was you or Professor Green who wanted
24 to research or is researching internet-wide
25 scanning, or I think the cyber physical systems.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Are those things still devices?

2 DR. HALDERMAN: So I, yes, I am
3 researching internet-wide scanning. And just for
4 a little bit of context, internet-wide scanning is
5 now one of the most important methodologies for
6 studying the health of security across the internet
7 as an ecosystem, of considering the entire global
8 population of computers in an epidemiological way
9 and tracking how vulnerabilities are patched or
10 being exploited.

11 And so internet-wide scanning involves
12 connecting to every device on the internet with a
13 public IP address, and attempting to make usually
14 just a normal connection as someone from the public
15 trying to access that machine would, and observing
16 what comes back.

17 That is an absolutely essential
18 methodology for the security community, but one
19 where we necessarily cannot in advance seek the
20 permission of every device holder, because every
21 device holder is everyone running a computer that
22 is serving public connections.

23 MS. SMITH: So in that instance, you're
24 trying to make connections with other internet users
25 or devices. How is section 1201 -- it sounds like

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 you're able to conduct that research currently.

2 DR. HALDERMAN: So what we worry about
3 with 1201 is the ambiguity of whether this is going
4 to be permitted or not. And I think the very --

5 MS. SMITH: Well, what circumvention
6 are you engaging in in this internet-wide scanning
7 research?

8 DR. HALDERMAN: Well, we're making a
9 handshake to every remote computer, and we don't
10 know in advance of making that handshake whether
11 the system we're connecting to is employing some
12 kind of not very well functioning access control
13 mechanism that's intended to prevent those
14 handshakes from succeeding.

15 So we, I think the worry is that for
16 certain systems, merely making a handshake to them
17 might be construed as violating an access control
18 mechanism. Perhaps the mechanism is one in which
19 just the address of the remote system not being
20 published to the world, or links to that system being
21 made available to others, is intended to keep people
22 from accessing it.

23 And internet-wide scanning would be
24 incidentally bypassing that.

25 MR. AMER: Could I just ask, so are there

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 other types of research that you would like to do?
2 I mean, it sounds like you're saying that, you know,
3 authorization would be impractical in that
4 circumstance, doing that type of research.

5 Are there other types of research where
6 an authorization requirement or a requirement that
7 you make a good faith effort to seek permission of
8 the device owner or the operator of the system would
9 be inadequate for the research you'd like to do?

10 MR. REID: If I can chime in on this one,
11 I'm very concerned about the idea that we're going
12 to insert a permission structure, for a few reasons
13 here, that we're talking about this idea of a
14 permission structure.

15 The first is we're not talking about
16 situations where you can necessarily track someone
17 down. But even if you can, we are trying to analyze
18 legal risk ex ante. We're trying to understand,
19 when we're advising a researcher, whether this is
20 going to be copacetic, or whether somebody is going
21 to come after them.

22 The mere act of asking for permission
23 might well be answered positively, but it might well
24 be answered negatively. And it might well be
25 answered so negatively that there's a threat of a

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 lawsuit. A letter gets sent to the dean of a school.
2 A letter gets sent to the organizer of a conference.

3 It might even be a situation where
4 there's not an access control present. It might be
5 a situation where there's no copyrighted work
6 present, but someone is invoking the DMCA as the
7 nature of the, as the underlying threat.

8 MR. AMER: Right.

9 MR. REID: And so what we're trying to
10 get at here are the adverse effects that we're
11 required to demonstrate on the statute is that we
12 are making non-infringing uses of copyrighted
13 works, right?

14 MR. AMER: Yes, and that's helpful.
15 And I mean, just to be clear, I'm not talking about
16 permission of the owner of the copyright on the
17 software. I'm talking about the owner of the, you
18 know, the toll collection system, or the, you know,
19 the building that you're trying to research the HVAC
20 system on.

21 I mean, and I think we're trying to sort
22 of, you know, if we're going to sort of expand the
23 existing exemption, which is conditioned on the
24 device being lawfully acquired, and I know we're
25 going to talk about that condition later on, is it

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 a burden on your research in general to, you know
2 -- and it sounds like it was consistent with your
3 general practice, apart from maybe the, you know,
4 the internet-wide scanning, you know, to try to seek
5 authorization from the owner of whatever device
6 you're -- or system, you're researching.

7 DR. HALDERMAN: So if security
8 researchers are doing research that has the
9 possibility of causing harm to the operator of the
10 device, they're going to make sure that that device
11 is one that they have, that they own or have the
12 permission of the device owner to test with, and
13 they're going to take steps to mitigate that harm.

14 But in other cases where security
15 research doesn't carry a possibility of harming the
16 public or the owner of the device or the device
17 itself, these are cases where we may just want to
18 be measuring something about the world and the
19 population.

20 Doing a measurement that involves the
21 cellphones in this room or the wi-fi devices in a
22 neighborhood, that might be a case where we aren't
23 going to necessarily need to go and get the
24 permission of device holders --

25 MS. SMITH: Sorry, if you wanted to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 security research the cellphones of all the people
2 in this room, you would not need our permission?
3 What would you be doing, and what would you be
4 circumventing?

5 DR. HALDERMAN: If the --

6 MS. SMITH: Why would that be non --

7 DR. HALDERMAN: So if there was a
8 question about whether the cellphone, the
9 cellphones operating in a particular space were
10 running a network protocol that was vulnerable or
11 had received software updates, if we wanted to
12 anonymously measure the population in order to track
13 the frequency of software updates or the uptake of
14 new versions of software.

15 That's an example of a scenario where
16 it's basically just observing the world rather than
17 infiltrating.

18 MS. SMITH: In that example, do you have
19 any concerns about other laws, such as the CFAA?
20 It just strikes me as like, would you be literally
21 circumventing everybody's individual cellphone
22 here without their permission? I wonder if this is
23 like a hypothetical that went off, or if this an
24 actual research project.

25 MR. REID: I think the response to that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 is no. Obviously researchers are considering a
2 wide variety of other constraints on what they can
3 do, and I assume we'll talk about this in more detail
4 when we get to the other laws section.

5 But I think the important consideration
6 for us here is we have now diverged a very long way
7 from talking about anything to do with copyright
8 or the intent of the DMCA. We're talking about a
9 regulatory structure about how security
10 researchers do their work in general, absent
11 copyright concerns.

12 And I think there's a worthwhile
13 discussion to be had, and is happening. For
14 example, computer scientists are having
15 discussions about what the ethics of research look
16 like and how that intersects with institutional
17 review boards and how that intersects with the
18 common rule.

19 We're also having other conversations
20 in the context of the Computer Fraud and Abuse Act
21 about how that works. That is not necessarily a
22 discussion that ought to be happening in this room
23 today.

24 MS. SMITH: I'm not disagreeing with
25 that, but I think we started off saying let's ease

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 up on the limitation of consumer devices because
2 there is a numerated category of things that
3 security researchers want to research. And perhaps
4 it's hard to specifically list them out, or not.

5 But then we got into let's, even without
6 the permission, which I guess Professor Green would
7 have sought, research into individual, you know,
8 devices people own without telling them to. And I
9 wonder, I don't know what they're, under the
10 copyright law. We'd have to see whether that change
11 is analogous or not, because that's not the way the
12 Office has previously looked at his recommendation.

13 MR. REID: Well, I mean, I think it might
14 be worthwhile to divert back to the original
15 inquiry, which is whether the device limitation
16 makes sense.

17 And I think what you've heard is for that
18 particular part of the existing exemption, there's
19 a wide variety of software embodied on devices that
20 are either ambiguously, maybe, maybe not within the
21 ambit of consumer devices, or are industrial
22 commercial devices that are completely outside of
23 the intended ambit of the existing category.

24 So if we want to stick on that point,
25 I think there's pretty clear evidence in the record

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 of a desire to do research on those categories of
2 devices in ways that permission from the copyright
3 holder is not forthcoming. Again, to this question
4 about permission from the device-holder I think is
5 a different question.

6 MS. SMITH: Okay, let's, I think we'd
7 like to do the last round on the device limitation.
8 We'll go to controlled environment next, just to
9 give a roadmap. So just going down the line, Mr.
10 Hall.

11 DR. HALL: Thank you. I just wanted to
12 say a couple things about what the election system
13 providers, election service providers, election
14 system providers said. There are two people on this
15 panel who are part of large, mostly academic efforts
16 to study voting systems. The election systems
17 providers were dragged kicking and screaming to
18 that.

19 Part of that is because the testing that
20 goes in there, the regulatory testing that happens
21 is not security testing. That's not, it's often
22 shake and bake testing, you know, like is this thing
23 going to withstand being on a semi truck for ten
24 years or something like that.

25 The kind of testing that we do as

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 security researchers is more adversarial. In some
2 cases, it's been called open-ended vulnerability
3 testing or penetration testing. I mean basically,
4 ask yourself, you know, what can we do with the
5 public knowledge that's out there with this system.

6 So it's a little bit different here.
7 You know, unlike Rapid7, I think we are asking you
8 to remove the device limitation to the extent you
9 can to apply to software and software-controlled
10 systems. I think, I'm not sure we submitted this
11 in our filing, but I think we would be okay with
12 some extreme limit to that.

13 So for example, there are types of
14 critical infrastructure, like nuclear power plants
15 and things like that, water systems, energy systems,
16 that we might want to actually say, no, look, this
17 is a place where it should be a no-go zone for this
18 kind of action, activity, so to speak.

19 Part of that is on those operators of
20 those systems themselves as well, isolating those
21 systems so that when people touch them or get close
22 to them or are able to access them, they aren't as
23 exposed as many of the systems that we work with
24 today, that you know, surround us, including the
25 cameras in front of us right now.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And that's really the meat of what we're
2 talking about with the device limitation. Having
3 an enumerated list means every three years we have
4 to come back and add more things to it, rather than
5 having a presumption that anything with software,
6 anything that's a software-controlled system
7 should be something that security researchers
8 should use --

9 MS. SMITH: Well, we typically tend to
10 recommend exemptions or to adopt exemptions which
11 are based on the record. And in 2015, the record
12 was based on sort of consumer-facing devices, so
13 that is why the language is as it is right now. And
14 we're debating whether to change it.

15 DR. HALL: And we tried to supplement
16 the record. In our initial filing, we issued
17 another paper that was used for case studies that
18 talked about voting systems, internet of things
19 devices, automotive systems, and other kinds of
20 non-land based vehicles.

21 And one, anyway, but we tried to
22 supplement that to say, look, here's examples of
23 things that security researchers are finding flaws
24 in that we want to not exclude from their attention,
25 but actually include these things in their attention

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 and give them fewer excuses to avoid those kinds
2 of systems.

3 MS. SMITH: Okay, Mr. Freeman. Thank
4 you.

5 MR. FREEMAN: Another hat that I wear is
6 I'm actually an elected local government official.
7 And so in this comment that came up just a little
8 bit earlier about the statement from the Executive
9 Board of the National Association of Secretaries
10 of State, it is very often the case that there will
11 be an issue that will come before the larger body
12 that is not agreed to by all of the individual
13 smaller groups.

14 And I do think it is a little bit weird
15 that, if for example, in our district, we were
16 interested in having some kind of independent
17 security audit for a particular reason, that would
18 be something we would only have the opportunity to
19 do during the general testing process. Which as was
20 just mentioned, is largely more for just verifying
21 functionality in many ways.

22 And then back on my iPhone jail-breaking
23 hat, I will point out that, I mean the iPhone goes
24 through a tremendous amount of security testing by
25 very smart people at Apple. And yet, time after

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 time, independent security researchers have come
2 and shown vulnerabilities that have made the world
3 a much more secure place by getting those things
4 fixed.

5 And so having the opportunity to have
6 independent security research being able to be done
7 on these systems at the will of independent local
8 governments that are interested in having this
9 thing, this checked I think is very important.

10 MS. SMITH: And when you say these
11 things, can you?

12 MR. FREEMAN: The voting machines,
13 sorry.

14 MS. SMITH: Voting machines, and so --

15 MR. FREEMAN: That was the example
16 specifically brought up by the election systems.

17 MS. SMITH: So Mr. Englund, I think
18 you've said that the state and local governments,
19 they may not have the ability to give permission
20 for security research because your, the companies
21 you represent restrict it via license agreements?
22 Do you want to speak on that?

23 MR. ENGLUND: Sure, so the point I was
24 making a minute ago was not that point, however.

25 MS. SMITH: Right, I'm asking you about

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 specific...

2 MR. ENGLUND: Just to be clear that the
3 election systems providers do cooperate with their
4 customers to satisfy their customers that their
5 devices are secure.

6 With respect to license agreements,
7 elections system software is licensed pursuant to
8 license agreements that look a lot like commercial
9 software licenses. They contain all the kinds of
10 restrictions on use that you'd expect to see in
11 commercial database software and the software
12 provided by Mr. Troncoso's clients.

13 So but among other things, they would
14 prohibit distributing the software that's licensed
15 to, say, the municipality to somebody else. And
16 that's kind of the point we were making in our
17 comments concerning infringement, that if
18 municipality X is authorized to use a particular
19 piece of software to conduct elections in its
20 jurisdiction does not authorize it to distribute
21 copies of the software to anyone else.

22 It was infringement for them to provide
23 a copy of that to the security researcher. Their
24 customer, they're simply coming to talk to the
25 vendor about whether that's something they'd like

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to do. But --

2 MS. SMITH: Well if the vendor, I mean,
3 doesn't the vendor perhaps have an incentive -- is
4 the vendor appropriately incentivized to research,
5 find and disclose all of the problems?

6 MR. ENGLUND: Absolutely. It's a
7 competitive market. My three clients, all jointly
8 represented today, are commercial competitors with
9 each other. And one very important feature that
10 they sell is security.

11 In addition, there are these
12 certification requirements, both federal and state
13 and local, which contrary to something that Mr. Hall
14 said or at least implied, do include some security
15 requirements. So security is something that is of
16 great concern to the systems providers and their
17 customers. And --

18 MS. SMITH: Have they ever, you said
19 that not even state and local governments would
20 qualify as owners. Have, are there examples where
21 your, the companies you're representing would have
22 turned down requests for security research?

23 MR. ENGLUND: Independent of their
24 customers?

25 MR. AMER: Well, by -- I think maybe what

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 you're getting, so you know, has there ever been
2 a situation where a government has requested a term
3 in the license or, you know, requested permission
4 from the vendor from your clients to allow
5 third-party researchers to conduct security
6 research on the software?

7 MR. ENGLUND: I don't know the specific
8 answer to that question. One of the things I don't
9 know whether or any state or local, to the extent
10 to which state and local governments ever asked.
11 I do know that there are circumstances where state
12 and local governments have expressed a desire to
13 conduct security testing, and that has happened.

14 MR. AMER: I'm just thinking, you know,
15 if Mr. Freeman mentioned, you know, his
16 jurisdiction. If, you know, his jurisdiction
17 decided that it wanted to allow, in addition to the
18 research that it does itself, wanted to bring in
19 Mr. Halderman or, you know, an academic institution
20 to conduct third-party research on the voting
21 software, do you think that's something that your
22 organizations would be amenable to?

23 MR. ENGLUND: I think they should
24 certainly talk to the vendor of their equipment.
25 They have some market power. So that sort of thing

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 has happened.

2 MS. SMITH: Okay, I think maybe we can
3 hear from Professor Halderman who, on the other
4 side, has had experience.

5 DR. HALDERMAN: Yes, there absolutely
6 have been cases where local governments have wanted
7 to conduct independent security testing on voting
8 systems and have either been denied permission or
9 have refrained from seeking permission because they
10 were convinced it would be denied if sought.

11 And that has been a constant inhibition
12 to independent security testing of voting systems
13 since the introduction of computer voting.

14 This is getting all the more urgent to
15 perform independent testing of voting systems. The
16 Senate Intelligence Committee, which has been
17 investigating interference in and hacking of
18 election infrastructure, recommended just last
19 month that states and local governments pursue
20 security testing from the Department of Homeland
21 Security for their election infrastructure.

22 And furthermore, because the Department
23 of Homeland Security doesn't have the resources to
24 test every local and state system in a timely way,
25 the Senate Intelligence Committee recommended that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 -- recommended the use of private security testing
2 firms as well to perform that same kind of test.

3 So it's essential to allow
4 non-government security testing of election
5 systems -- be it at the local level or the state
6 level -- in order to make sure that they're going
7 to be secure, even for this year's elections.

8 MS. SMITH: So I hear a couple of things
9 out of what you're saying. I hear first it sounds
10 like you disagree with Mr. Englund that the
11 independent research is exhaustive on voting
12 systems, okay.

13 But secondly, if the Senate
14 Intelligence -- if this is happening, how is section
15 1201 -- or is it proving an obstacle to this
16 independent research if there are a lot of resources
17 and other governmental resources mobilizing to
18 making this research happen?

19 DR. HALDERMAN: Well, so 1201 is going
20 to be a significant concern. If a state or local
21 government came to me today and asked me to
22 participate in such a test, I would have to have
23 a serious conversation with my attorneys about
24 whether I would be at risk of doing that research
25 due to 1201.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. SMITH: Would you go to the
2 copyright owner? If you sought permission, it
3 would alleviate that problem.

4 DR. HALDERMAN: Well, so if we sought
5 permission, if I sought permission to do such
6 testing, I'm not sure it would be granted. In fact,
7 my strong suspicion is that if a local government
8 wanted to bring me in to do a security test of the
9 voting machines from the makers represented here
10 today, that those companies would object.

11 MS. SMITH: Mr. Mohr. Microphone.

12 MR. MOHR: Oh, sorry about that. We
13 don't necessarily have a dog in the voting fight.
14 What we are concerned about is the specificity of
15 the record with respect to particular kinds of
16 tailoring of any issued exemption.

17 And this is, you know, this is kind of
18 a good example of that, because as I listen to this,
19 it strikes me that a lot of this ought to be covered
20 by 1201(e), which allows, does not prohibit any
21 lawfully authorized information, I'm going to
22 insert ellipses here.

23 The section does not prohibit any
24 lawfully authorized information security or
25 intelligence activity of an employee of the United

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 States, a state, or a political subdivision of a
2 state, or a person acting pursuant to a contract
3 with the United States, a state or a political
4 subdivision of the state.

5 And for purposes of the section,
6 information security means activities carried out
7 in order to identify and address the vulnerabilities
8 of a government computer, computer system, or
9 computer network.

10 So to the extent that there's a need for
11 a further exemption beyond what's in the statute
12 already, I am puzzled as to what it is that our
13 friends would like to do that is not allowed by
14 1201(e).

15 MS. SMITH: Professor Reid, if you want
16 to respond to that directly, that would be helpful.

17 MR. REID: Yes, I'd be happy to chime in.
18 Thinking back to ten years ago when we sat and first
19 talked about 1201(j) and heard a similar argument
20 about the extent to which 1201(j) might apply --

21 MS. SMITH: But I mean, specifically
22 when we're talking about voting systems and --

23 MR. REID: 1201.

24 MS. SMITH: Everyone's been discussing
25 state and local governments, Senate Intelligence,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Department of Homeland, there seems to be a large
2 government -- I'm not sure, it might be a different
3 question.

4 MR. REID: Sure, so the first thing I'll
5 say is if litigation were ever instituted over this
6 and Professor Halderman, for example, was working
7 with a local government, one of the first -- to do
8 independent security testing, and the DMCA was
9 brought up by one of the election vendors as a claim
10 -- very likely one of the first things we would
11 assert as a defense is 1201(e).

12 Now, I don't think I have to tell you
13 that 1201(e), at least to the best of my knowledge,
14 had never been tested in court. And there are a
15 number of ambiguous provisions. And I know that
16 this, as far as I'm aware, has not been briefed on
17 the record. But I'd note that it is referring, it
18 refers to investigative protective information
19 security or intelligence activity.

20 Now, whether the scope of security
21 testing of election system falls within the ambit
22 of those terms, I'm not sure. And because we
23 haven't had an opportunity to brief this on the
24 record, I'm uncomfortable sitting here today
25 saying, yes, absolutely 1201(e) is going to cover

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 that.

2 But to the extent that the Office opines
3 that 1201(e) is likely to cover the types of
4 activities that we're talking about today, as we've
5 asked in the past with 1201(j), positive
6 interpretations of the scope of the built-in
7 exemptions are helpful. The lack of clarity about
8 them is the reason that we have come and asked for
9 a specific exemption that covers the activity.

10 But if it's the Office's perspective
11 that 1201(e) covers everything that we've asked for
12 in our briefs that pertains to election security,
13 that would be very helpful to have a declaration
14 in the final rule to that effect.

15 MS. SMITH: I think it would have to be
16 in concert with someone connected to a state,
17 because that's what 1201(e) does. But it's much
18 shorter than 1201(j), so in some ways I think it's
19 a little less ambiguous. Ms. Walsh, do you have
20 thoughts on that?

21 MS. WALSH: Yeah, I think we've
22 discussed the use case where a voting machine is
23 acquired by independent researchers because we all,
24 as residents of the United States, have an interest
25 in the integrity of our elections. And so an

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 independent researcher who acquires the voting
2 machine, does research, doesn't necessarily have
3 a government sponsor --

4 MS. SMITH: But that's permitted under
5 the current exemption, right? I mean, if you're
6 going to test a live election system, shouldn't you
7 somehow coordinate with a local facility or state
8 facility? I mean, right now a decommissioned
9 voting machine or something, not networked, not
10 connected, an independent researcher can get that
11 and can conduct that research.

12 MS. WALSH: Subject to the other
13 limitations in the existing exemption.

14 MS. SMITH: Yes.

15 MS. WALSH: Yes. I also wanted to add,
16 when we've had a few references to seeking
17 permission from the copyright owner, one of the
18 issues with that and one of the reasons why it's
19 dangerous to even approach a copyright owner in the
20 first place is because often what security
21 researchers are doing is they're criticizing the
22 functional aspects of the works.

23 They're discovering vulnerabilities
24 and putting public pressure on the companies to get
25 them fixed. And when the election vendors, for

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 instance, say that they compete on security, that's
2 competing on the perception of security of their
3 purchasers.

4 And that's part of why there's a
5 financial interest from these companies not to allow
6 their brand to be tarnished by truthful reporting
7 about vulnerabilities in their software.

8 MS. SMITH: Mr. Zuck, I think you've
9 been waiting for a while.

10 MR. ZUCK: Thank you. Jonathan Zuck
11 for the record. I'm not an attorney, so I won't
12 delve into the rule itself as much as the, try to
13 backstop this a little bit with a philosophical
14 point that I think is going to end up being a
15 framework from which I'd want to approach this
16 conversation going forward. Which is that if
17 there's a TPM in place, the default ought to be to
18 leave it in place.

19 And so this idea of permissionless
20 access on a broader and broader scale I think needs
21 to be viewed with some scrutiny. And the idea that
22 in the majority of the cases the answer is no I think
23 is okay. Right?

24 It's the idea that the de facto, the
25 default should be that anybody that wants to perform

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 a search should be able to do it, and we should get
2 all of the doubt out of the way for doing it, feels
3 like the wrong place to start the conversation. So
4 maybe only 20 percent get back, maybe 20 percent
5 of researchers having access to your system is
6 plenty. You know, for example, for a particular
7 security test.

8 And so I just want to say that I think
9 from a philosophical standpoint we ought to start
10 from the standpoint, as the law does, of calling
11 it an exemption. But that everything isn't by
12 default okay, and that we should just get everybody,
13 every barrier out of the way and every ambiguity
14 out of the way for anybody doing whatever they want
15 to do.

16 And instead make it about permission,
17 make it about exemptions, and start from that place.
18 So maybe voting machines are something that need
19 further discussion, but creating this broad
20 expansion of the exemption feels like the wrong move
21 just in an effort to clear the clutter for anybody
22 that wants to get at these systems for any reason.

23 MS. SMITH: Okay, thank you. So Mr.
24 Williams, if you wanted to be responsive to what
25 has been raised before.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Also, I'm going to move onto the next
2 topic, which is the controlled environment
3 limitation. If you have any thoughts on whether
4 that is an important limitation in the current
5 temporary regulation towards the statutory factors
6 and what were project here under 1201(a)(1).

7 MR. WILLIAMS: Sure, thank you. I did
8 want to respond to something Blake said earlier,
9 just so that it didn't go left unaddressed. And I
10 know we're trying to go bucket by bucket, but his
11 comment was kind of expansive in covering the
12 purpose of why we're here in general, so I'm going
13 to speak to that first, and then I'll answer your
14 question.

15 When we were discussing whether someone
16 who wanted to circumvent everyone's cell phones in
17 the room in order to do some research should need
18 everyone's permission, and if so, whether that might
19 also violate the CFAA, the point was made that
20 somehow because a lot of security research is
21 non-infringing, that all of this is just outside
22 of the scope of what Congress intended to address
23 in the DMCA, and that it's completely outside of
24 section 1201.

25 And therefore, the Office should not be

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 engaged in the careful process that it is engaged
2 in to date to try to draw some limitations and make
3 sure that you're defining a class of works that
4 doesn't lead to abuse.

5 And I just think the discussion we've
6 been having about 1201(e), about 1201(j), it just
7 shows that that's not true. That Congress was very
8 aware of these issues, that Congress addressed them
9 in various provisions in the statute. And then it
10 also gave you the authority to move beyond those
11 provisions, if a very good record was built.

12 And part of what Congress did in 1201(j)
13 was also refer to other laws, including the CFAA.
14 And so I think the approach you've been taking --
15 trying to come up with common sense limitations,
16 referring to the other law's limitation -- all of
17 that is well within your purview. And all of this
18 was anticipated to some degree by Congress.

19 The controlled environment limitation
20 to me is just another one of those common sense
21 limitations. I don't see it as unduly burdensome
22 to suggest that when you're engaging in this kind
23 of activity, you take some special care to prevent
24 harm occurring. And so I think that should be
25 retained.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. SMITH: Thank you. Mr. Geiger, can
2 you talk about the controlled environment
3 limitation and what research that's impeding,
4 including whether perhaps the Office could provide
5 interpretive guidance as opposed to, say, removing
6 it entirely to assuage sort of protecting the
7 research that is, I guess, not dangerous from other
8 types of research.

9 Which I think every researcher who has
10 filed a comment has said there are strict ethical
11 norms and rules. It might depend upon what is being
12 researched, but no one is really endorsing I guess
13 non-safe research, if you wanted to talk a little
14 bit about that.

15 MR. GEIGER: So I'm sensing from you
16 that that is, that you want me to focus on just the
17 controlled environment portion. I had actually put
18 my card up to address some of the things that were
19 momentarily said. But they may fall better under
20 the other laws bucket. I'm happy to wait for that
21 bucket in order to address the legislative history
22 on that if you'd prefer.

23 MS. SMITH: You know, if we can stick
24 with controlled environment now, I think that might
25 help keep it --

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. GEIGER: Understand.

2 MS. SMITH: --- orderly. And I'll
3 certainly let you say, get back to you when we get
4 to the other laws, yup. Mr. Reid, Professor Reid.

5 MR. REID: I wanted to just quickly
6 circle back to 1201(e) and point out as a technical
7 matter one reason 1201(e) won't work in many
8 situations, because it doesn't apply to territories
9 of the United States including Puerto Rico. So
10 there's always going to be a number of elections
11 where voting machines are going to be deployed and
12 where it's directly not applicable.

13 I wanted to respond to Mr. Williams'
14 point about the notion of addressing non-copyright
15 concerns in this proceeding. And there was sort of
16 a watershed moment in the last hearing where all
17 the other agencies dissented and said, whoa, hold
18 on a second. We've got all these other policy
19 concerns that relate to cyber-security, that relate
20 to environmental protection, that relate to all of
21 this other stuff.

22 And the Office, for better or for worse,
23 accommodated that interest by delaying the rollout
24 of the last security exemption by a year and setting
25 an expectation that other agencies would have time

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to respond. To the best of my knowledge, there's
2 almost nothing on the record that suggests anything
3 happened as a result of that.

4 In other words, that agencies came in
5 and said, uh oh, we have got real problems with this
6 exemption. And we need to create new policies, new
7 regimes to accommodate the fact that security
8 researchers are going to be coming in and doing new
9 types of work.

10 And the Office said in its policy study
11 that it was going to, that was the shot that everyone
12 had to bring up these non-copyright concerns, and
13 that the Office did not expect that it would be
14 addressing health, safety, and environmental
15 concerns here.

16 So I want to underscore that historical
17 point not because we don't think that safety is
18 important. Obviously it is, and Alex will speak to
19 that. But we don't think this is the appropriate
20 venue in which to be considering that. We don't
21 think that copyright policy and the body that is
22 responsible with setting the contours of copyright
23 policy ought to also be setting the contours of
24 responsible security research.

25 There are a lot of complicated questions

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 about how this shakes out, and I think the responses
2 on the record about the nature of the controlled
3 environment limitation go exactly to this. I
4 counted at least three different interpretations
5 of exactly what that means from the opponents.

6 So that very ambiguity is the problem,
7 and also I don't think we want the Copyright Office
8 going in and being more specific about what we mean
9 by that. We want you to defer to other agencies,
10 who by the way haven't raised any concerns about
11 it on the record this time around, and to researchers
12 who are engaged in good faith security research to
13 set those contours themselves.

14 And I think the proponents of this
15 exemption are among the most credible and
16 trustworthy and serious security researchers that
17 do this kind of work. And the insinuation that they
18 need a check from the Copyright Office to tell them
19 how to do their work I think is inappropriate.

20 MR. AMER: So I -- oh.

21 MS. SMITH: I don't think I'm making any
22 insinuation. I think we're trying to follow from
23 the law and from the existing statutory exemptions
24 as well as what the legislative history is telling
25 us to do. I mean I just, we're not questioning the,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I guess, practices of any participant here. I think
2 that should be clear. Yes.

3 MR. AMER: I just wanted, and I, you, Mr.
4 Halderman, Professor Halderman, you may want to
5 address this, or Professor Reid, you can as well.
6 But I mean to sort of clarify this purported
7 ambiguity about controlled environment. I mean, I
8 think that grew out of what a lot of commenters have
9 described as sort of universal agreement in the last
10 rule-making that this sort of testing shouldn't
11 extend to live environments, live testing.

12 And then I know, Professor Halderman,
13 in your reply comments you said, well, there should
14 be a distinction between live testing and testing
15 in real life environments carefully designed to
16 avoid harm. To me, that latter phrase sounds a lot
17 like controlled environment, as sort of a lay
18 person.

19 And so I wonder, you know, is there a
20 distinction there? Is there, you know, are there
21 types of environments that are uncontrolled but that
22 nevertheless sort of have the sort of safeguards
23 that you seem to be talking about?

24 DR. HALDERMAN: Well, so let me just
25 start by emphasizing that the security community

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 and security research absolutely recognizes an
2 obligation and a community norm of testing security
3 in a way that is safe, that is not harmful to the
4 general public or to the operators of systems.

5 And I think that ethical core of our
6 behavior of researchers is a large part of what
7 separates security research from activities that
8 are harmful and malicious.

9 But the problem with the controlled
10 environment limitation is maybe you feel like you
11 have some sense of what a controlled environment
12 looks like. I don't know how to interpret that, and
13 I'm the one having to make that call in the work
14 that I undertake.

15 So a controlled environment, to me,
16 maybe that sounds like that means I have to take
17 the device into the laboratory, isolate it from the
18 rest of the world, and make sure that nothing is
19 going to come in or out of that isolated controlled
20 environment unless it's accounted for. Something
21 like that.

22 It's that notion of it being a controlled
23 environment that is the part that causes me the most
24 problem, not the notion that security researchers
25 are going to try to design their experiments and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 their research not to cause harm. Of course we're
2 going to do that.

3 But if you invite me to, say you invite
4 me to test the security of your home network. Is
5 that a controlled environment or not, right? And
6 because it's operating in the real world, we're
7 going to presumably take steps to make sure that
8 I'm not going to destroy the networking equipment
9 in the process of testing it or otherwise leak
10 sensitive data of value.

11 We can do all of these things to make
12 sure that there aren't harms, but I'm still not sure
13 that that's a controlled environment if it's
14 something that's taking place within a person's
15 actual home network.

16 MR. AMER: Well is it, and that's
17 helpful. I mean, would you say that that phrase or
18 maybe the, what you see as the ambiguity in that
19 phrase, is imposing a requirement that goes beyond
20 what other laws would require?

21 I guess another way of asking that is,
22 you know, is it ever lawful to do this kind of
23 research in something that's not reasonably
24 described as a controlled environment, according
25 to other laws?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 DR. HALDERMAN: Well, Blake, you're the
2 --

3 MR. REID: Yeah, so --- okay if I turn
4 mine on at this point? I think it's important to
5 remember the context in which we are making this
6 evaluation. The evaluation is not when we're
7 sitting down in the example of, say, testing a piece
8 of home networking equipment, whether the person
9 whose home we are in is going to be upset about it.
10 We're going to have a fruitful discussion with them
11 beforehand.

12 If we're testing the HVAC system in a
13 building, it's not that the building owner is going
14 to be upset about it.

15 The context that we're in is, in the
16 software that we are looking at, we are going to
17 find a potentially embarrassing bug that suggests
18 in fact this piece of widely deployed software has
19 got a serious security vulnerability that everyone
20 else who has deployed this piece of software has
21 now got to fix.

22 There is a significant incentive for the
23 folks who are the copyright holders in that
24 software, the vendor of that software to say, we
25 would really like that not to be released. And what

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 are the ways that we're going to shut down the
2 release of that vulnerability or shut down publicity
3 of that vulnerability, shut down conference papers
4 about that vulnerability.

5 Well, we're going to go look at this
6 exemption, and we're going to look at every piece
7 of this exemption and see if we can figure out some
8 way that this research arguably, or maybe even not
9 arguably but in enough that we can assert it in a
10 demand letter, violates the exemption.

11 And we're going to look at that
12 controlled environment limitation and say, we've
13 just been having a ten-minute colloquy about what
14 it means.

15 That's uncertain enough that we are
16 concerned about that. And that means that we can't
17 go to folks that are trying to get some ex ante
18 clarity about the ability to do this and disabuse
19 the notion that there's going to be some serious
20 legal risk when this is ultimately published.

21 So it is critical that the Office bear
22 in mind that that is the context. It's not the
23 homeowner, it's not the building owner. It's not
24 the operator of the system. It's the copyright
25 holder for whom the release of the vulnerability

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 might be fairly economically devastating that we're
2 concerned about.

3 MR. AMER: I mean, I think one -- oh.

4 MS. CHAUVET: Well, I was going to say
5 because there are examples. Like for example like
6 Apple has a page on its website specifically for
7 security researchers to go and tell Apple if they
8 find bugs in the software. So it's not like every
9 company across the board doesn't want that type of
10 information.

11 And so I guess if there's ambiguity about
12 the term "controlled environment," which you guys
13 seem to think that there is, is there another
14 alternative way to or another phrase to use so that,
15 obviously you guys are very ethical, you know. I'm
16 not -- no one is saying that you're not. But maybe
17 not everyone to rely on this exemption would be as
18 ethical.

19 So I think it's just trying to put some
20 type of safeguard to protect the public. So I don't
21 know if you have a suggested alternative phrase that
22 could be implemented.

23 DR. HALDERMAN: Well, I think the
24 safeguards come from other existing statutes,
25 things like the Computer Fraud and Abuse Act, which

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 doesn't talk about a controlled environment. It
2 talks about authorization from the operator of a
3 computing system.

4 MR. REID: I think the point is that the
5 policy dimensions of how you might want to control
6 an environment such as we're talking about here are
7 so broad and so deep and so complicated and so under
8 dispute that to embed all of those considerations
9 in the exemption --- that's what we're getting at.

10 It's not that we couldn't have a robust
11 policy discussion about what a controlled
12 environment is and an appropriate way to conduct
13 research. It's that embedding it in this exemption
14 to copyright law is not the appropriate place to
15 do it.

16 And so it's also not to suggest that in
17 situations where, take Apple for example, they might
18 well work closely with security researchers. And
19 that's great, and they, you know, there might be
20 situations where you're working hand in glove and
21 asking permission.

22 But again, that, we're talking about the
23 entire industry. We're talking about every single
24 purveyor of software. We're talking about every
25 single device that's out in the world. And we need

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 something that covers all of those possible
2 situations. And that's why we're asking to get rid
3 of this limitation.

4 MR. GOLDBERG: So sort of building off
5 of that point, obviously there are companies that
6 not only are willing to work with security
7 researchers, but even have the bug bounty programs
8 in some cases. And in fact I, you know, I have a
9 few examples.

10 I know Microsoft and Adobe, among
11 others, have bug bounty programs, and they're not
12 necessarily limited to your local devices. They
13 actually in some cases invite you to test their
14 cloud-based services.

15 Have you had trouble actually taking
16 advantage of something like a bug bounty program
17 because you think it might still be a DMCA violation?

18 DR. HALDERMAN: I think the major
19 problem is the companies that don't have bug bounty
20 programs, which is almost all companies. We're
21 talking about bug bounty programs being things that
22 are provided by a very, a relatively small fraction
23 of companies that are mostly the companies that are
24 already the most responsible actors and
25 security-conscious ones.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 If I were to be doing research about
2 corporate routers and firewalls involved in routing
3 internet traffic that are manufactured by, say,
4 certain Chinese companies that are already
5 suspected of embedding back doors in their products,
6 I probably would not receive permission from those
7 companies to go and do those tests. But the tests
8 still would be essential for the security and safety
9 of the public.

10 MR. REID: And if I could just chime in
11 and discourage the Office and NTIA from considering
12 incorporating a bug bounty caveat to this
13 limitation, because then we're going to have to sit
14 here for another half an hour and talk about what
15 a bug bounty program is and what features it has
16 to have and what's an appropriate level of response
17 and all of that sort of stuff.

18 And again, that's a complicated policy
19 debate, and this is not the place for it.

20 MR. GOLDBERG: Right, so if I could just
21 follow up, I was actually, you know, thinking more
22 of trying to get the opposite situation to a lot
23 of what we're talking about, which is, you know,
24 whether you can get that permission or not. I'm
25 just you know, using that as an example. You know,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 sometimes you actually, you know, have enthusiastic
2 support from the software developers.

3 But you know, a seemingly, you know, as
4 it's currently worded, a 1201 might, you know, still
5 not allow you to take advantage of those programs.
6 Is that your experience?

7 MR. REID: So the hypothetical that
8 you're teeing up is a situation where 1201 would
9 prevent a bug bounty program --- a researcher from
10 participating in a bug bounty program. I'm not
11 familiar with any particular examples where that's
12 shaken out.

13 You might be able to think about a
14 situation where there are multiple copyright
15 holders involved and the company running the bug
16 bounty program is one of them. But you then have
17 to go get permission from the other one, something
18 along those lines.

19 DR. HALDERMAN: I think 1201 would be
20 one of the factors that I would be looking for in
21 reviewing the language of the bug bounty permission
22 statement, where the bug bounty is going to say that
23 the company promises not to take legal action
24 against a good faith security researcher under
25 certain laws. And I'd want to make sure that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 whatever wording there was there included 1201,
2 definitely.

3 MS. SMITH: If we could go to Mr.
4 Troncoso, I think he's been waiting for a while.

5 MR. TRONCOSO: Sure, thank you. I just
6 wanted to follow up in on one of the points Blake
7 made. He made reference to the fact that just
8 because some companies have bug bounties doesn't
9 obviate the need for an exemption. And I mean, I
10 would totally concede that point.

11 But I think on the other side is that,
12 you know, just because the researchers in this room
13 abide by the certain norms that would, you know,
14 guide them in the construction of their research
15 projects to mitigate harms to third parties doesn't
16 mean that every sort of purported security
17 researcher out there is doing the same thing.

18 So I think that counsel that we need to
19 make sure that there are at least reasonable
20 safeguards built into this exemption. And from our
21 perspective, the controlled environment is
22 precisely that. You know, one of the purported sort
23 of interpretations of the controlled environment
24 limitation is that it would require all research
25 to be performed in a lab setting.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I mean, I don't think that that's a very
2 reasonable interpretation of the limitation, and
3 I'd simply point out that several of the examples
4 that the proponents have proffered of the types of
5 security research they've engaged in under the
6 auspices of a 2015 exemption were not performed in
7 sort of lab settings.

8 So I mean, we could have a discussion
9 about sort of what the contours of a controlled
10 environment are. And I think it would be reasonable
11 for perhaps the Copyright Office to sort of, you
12 know, to explain that in the context of the
13 recommendation that they make, that you make. But
14 I think, you know, our view is that sort of it is
15 not a lab setting.

16 And I'd also just make one other point,
17 that several of our companies have big security
18 research sort of wings of their companies. So in
19 many ways, Microsoft, for instance, is both a
20 beneficiary of this exemption, as are several of
21 our other companies.

22 And they have not flagged concerns with
23 me in the course of this sort of project that they
24 view these as unreasonable constraints on their
25 ability to perform security research.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. SMITH: Thank you. Mr. Mohr, I
2 think you were also waiting.

3 MR. MOHR: Sorry, thanks, just a couple
4 of points on this. I mean, the first is that, you
5 know, there's a difference. A demand letter is not
6 the statute, in the sense that the fact that somebody
7 makes a threat does not mean that the statute's
8 actually causing it. I think we've probably all
9 seen good examples of creative writing in that
10 context.

11 The second thing I would say that in this
12 instance, we agree with the premise of what Mr.
13 Halderman said, if not the precise language, which
14 is the idea that if there, the obligation on the
15 researcher is essentially if they're going to go
16 nosing around, not to cause harm and to take steps
17 to prevent that harm.

18 In the regulation now, that's
19 encompassed by the phrase "controlled
20 environment." If that needs to be fleshed out in
21 certain ways, that's fine. The idea of
22 internet-wide scanning, as it's been described in
23 this context, is not something that we're
24 uncomfortable with. I'm not quite sure, again,
25 that that's something that the statute prevents in

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 any way.

2 But to the extent that you're looking
3 for areas of consensus, I mean, we don't believe
4 that this limitation should be dropped. But if it's
5 refined further to get at the same condition in a
6 different formulation, that's something I think we
7 would be okay with.

8 MR. AMER: I think Ms. Walsh was next.
9 And I guess maybe in your answer and others, if you
10 want to address that too. I mean, is this something
11 that sort of more clarification would be adequate
12 to address, short of dropping the language
13 altogether?

14 MS. WALSH: So in answering that
15 question, I'm going to take up Mr. Zuck's invitation
16 to think about what is the default about whether
17 this research is going to be permitted or not. And
18 it's not an abstract philosophical question; it's
19 a question that's answered by the First Amendment.

20 This is protected First Amendment
21 activity, so you need to have a darn good reason
22 to restrict it, and your restrictions have to be
23 narrowly tailored. Congress took the approach of
24 passing this really over-broad law, and it's your
25 problem to try to mitigate some of the over-broad

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 impacts on speech that that law has. That's what
2 this rule-making is here to do.

3 So in that context, none of what we've
4 talked about in the controlled environment context
5 has anything to do with the purpose of section 1201
6 of combating infringement. It's all you might hurt
7 somebody, you might commit a tort against somebody.
8 It doesn't speak to the ultimate question of: are
9 there adverse effects on non-infringing uses?

10 MR. AMER: Well, that's the point, isn't
11 it? And I'm sorry to interrupt. I mean, to the
12 extent that this is unlawful, you know, that it's
13 sort of an academic question, you know, because
14 other laws prohibit you from doing this type of
15 research in an uncontrolled environment, or however
16 you want to describe it in a way that exposes people
17 to harm, can we really say that 1201 is the cause
18 of any adverse effect that you're experiencing?

19 MS. WALSH: So 1201 increases the
20 adverse effect because it's duplicative,
21 redundant, unnecessary penalization of conduct
22 that may be prohibited by other laws. So that's
23 half of the answer, which is where, and I'll expand
24 on that, which is where there actually is some other
25 law that is broken.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 The other scenario is you don't do it
2 in a controlled environment, you don't actually wind
3 up causing any unlawful harm, you don't actually
4 commit a tort against anybody. There's no harm, but
5 under the language of the exemption, you're
6 potentially liable to the copyright owner for
7 circumventing 1201 because you didn't do it in a
8 controlled environment.

9 So that's one scenario. There is no
10 harm, other laws don't really apply. In the
11 scenario where you do wind up, you know, say you
12 do automobile research and you run somebody over.
13 Okay, there are tort laws, there are personal injury
14 laws that are generally thought to adequately
15 disincentivize that kind of conduct.

16 People are not counting on section 1201
17 of the DMCA to dissuade people from running them
18 over in the streets. And it's illogical if I get
19 harmed by someone who has physically injured me,
20 sure, I have a cause of action against them.

21 But why does a copyright owner now have
22 a cause of action against that person? How is that
23 -- how does that remain proportionate to the harm,
24 particularly when section 1201 has statutory
25 damages and has potential criminal penalties?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 It doesn't, and it interferes with the
2 ability of other regulatory agencies to say, this
3 is a harm where maybe you should be fined \$500, but
4 it's not that big a deal. Okay, you're fined \$500,
5 but then the copyright owner can see you get
6 statutory damages, and if you did it willfully and
7 commercially, you can go to jail.

8 MS. SMITH: We're not affecting what any
9 other regulatory agency can or cannot do.

10 MS. WALSH: You are connecting
11 liability under section 1201 to what other agencies
12 try to do in their regulations. So put yourself in
13 the shoes of -- I am an environmental regulator,
14 and I think that this activity is bad. It's not that
15 bad, I'm going to fine you \$500 if you violate it.
16 I think that's the right level of deterrence.

17 And now the Copyright Office has come
18 in and said, "Ah, but if anyone violates that
19 provision, actually also you have potential
20 criminal penalties and a private cause of action
21 so that the rights holder can come in and penalize
22 you further."

23 MS. SMITH: Well, we haven't removed
24 that from the existing permanent exemption, just
25 to be clear, right. I mean, we haven't come in and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 affirmatively decided to regulate and put in
2 language. We've said we're not, we didn't see a
3 record to remove it, I think, from 1201(j) is what
4 has happened in terms of the Copyright Office's
5 role.

6 Another question for you is Consumer
7 Union filed a comment which in general supported
8 many of the eliminating or amending, I guess, the
9 regulation. But they said the controlled
10 environment limitation is very important for
11 consumer safety. I wonder if you had a thought of
12 why they felt that way, since they perhaps shared
13 Professor Green's views on other points.

14 MS. WALSH: So I think something that's
15 very clear is that there is a norm in the security
16 research community that you do your research safely.
17 And that's a professional norm; it's not necessarily
18 something that anyone thinks the government can or
19 should impose as a matter of law.

20 Just like in the journalism context,
21 there have been professional norms about when you
22 disclose victims' names, when you don't. And you
23 might say it's irresponsible as a journalist to
24 violate that norm, but you wouldn't say the
25 government can prohibit you from doing it.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. SMITH: I don't know if that really
2 answers the question of if the Copyright Office were
3 to remove the controlled environment limitation,
4 is it signaling that that norm is not important?

5 MS. WALSH: No, the Copyright Office,
6 the professional norms being things that are matters
7 of professional ethics and not government mandates
8 is an important traditional feature of the way that
9 the speech-related professions operate. It's not
10 clear to me what the authority of the Copyright
11 Office is to impose a limitation like that that has
12 nothing to do with whether the activity is
13 infringing or not.

14 MS. SMITH: Mr. Englund.

15 MR. ENGLUND: I'd like to just very
16 briefly respond to Ms. Walsh, who said in essence
17 that the controlled environment limitation doesn't
18 have anything to do with copyright. And I think
19 that's just wrong. 1201 is about TPMs that are used
20 to control access to copyrighted works.

21 And the ask here by the security
22 researchers is to circumvent those, and thereby
23 obtain access to copyrighted work. This becomes
24 somewhat bound up in the consent issues and that
25 lawful device, all the copy issues we were talking

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 about a few minutes ago. But the proponents want
2 all of those limitations to go away.

3 One thing the controlled environment
4 requirement does for standing alone is prevent
5 someone from hacking into live systems and thereby
6 obtaining access to copyrighted works. So if you
7 do away with the device limitation, as the
8 proponents have asked, and you do away with any kind
9 of consent that we shouldn't, as the Office proposed
10 in the previous panel.

11 And you also do away with the controlled
12 environment limitation, hack TPMs to obtain
13 unauthorized access to copyrighted works, and
14 that's something that Copyright cares about.

15 MR. AMER: Who's next? Mr. Freeman.

16 MR. FREEMAN: I just wanted to respond
17 to the question of if the Copyright Office were to
18 remove this clause, whether that would signal that
19 they believe that that's an appropriate thing to
20 be doing. And I would say that no, because you've
21 been stating that you believe that many of these
22 activities would already be covered by other laws.

23 What I would say that it's doing is it's
24 showing that Copyright Office is not interested in
25 rewriting in different wording a summary of another

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 law that will then cause a new form of like legal
2 cases to have to be argued about how that new wording
3 is. Instead, it's more just signaling that they're
4 more interested in utilizing the existing legal base
5 and the existing laws than creating more confusion.

6 MR. AMER: But you're also asking us to
7 drop the other laws requirement. So I mean I could
8 see the argument that the controlled environment
9 is duplicative of other laws to the extent that they
10 also require provision for public safety, etc.

11 But then you're also, you're asking us
12 then to take a next step of removing the requirement
13 of compliance with other laws, which is in the
14 permanent exemptions in the statute.

15 So I mean, I think our thinking has been
16 that there's a, you know, a basis for inferring that
17 Congress wanted to include compliance with other
18 laws as a condition of 1201 exemption.

19 So you know, how do you reconcile sort
20 of wanting us, I mean if you're saying, if what
21 you're saying is that the controlled environment
22 is duplicative of other laws and it's unnecessary,
23 how do you then take the next step of asking us to
24 drop the, I guess we're bleeding into the other laws
25 section. So maybe you could address that.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. FREEMAN: Well, I will say that they
2 are different arguments, in a way. I mean, there's
3 different points there to be made about different
4 sections of this. And I mean, I really liked Ms.
5 Walsh's argument about the ones that reference other
6 laws end up causing a situation whereby you can
7 increase the penalty accidentally of another law.

8 Or the argument I believe was made by
9 Mr. Reid, which was that by -- or actually no maybe
10 it was actually Mr. Halderman -- which is that it
11 will change who is able to make that claim, such
12 as being able to make it the randomly, now it's a
13 copyright owner that's able to come out in order
14 to make an argument, whereas before it was somebody
15 you made a tort against.

16 MS. SMITH: Well, maybe we can zigzag
17 between copyright owners and security researchers.
18 So Mr. Williams and then Mr. Hall.

19 MR. WILLIAMS: Thank you. I just
20 wanted to briefly touch on the First Amendment
21 issues that Ms. Walsh raised. There have been
22 several opinions, especially at the beginning of
23 the application of section 1201 that addressed the
24 First Amendment issues, Corley and Reimerdes and
25 Elcom and others.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And they do carefully walk through all
2 of these arguments, they identify it as
3 content-neutral, they apply intermediate scrutiny,
4 they observe the existing statutory exemptions that
5 you've been referencing as one of the protections
6 built into the statute.

7 They even discuss cases like journalism
8 cases, like *New York Times v. United States*. And
9 they've all come out and said this statute is
10 perfectly constitutional under the First
11 Amendment.

12 So I just wanted to say that at least
13 based on those cases, I don't think there's anything
14 inappropriate about you trying to draw similar
15 limitations into these regulatory exemptions as to
16 what Congress did in the statute.

17 DR. HALDERMAN: Thank you. So in our
18 joint filing, we actually did -- as you asked, Mr.
19 Amer -- provide some narrower language that we think
20 could help. So I think what we actually said was
21 specify the controlled environment requires only
22 that, quote, "harmed individuals or the public can
23 be mitigated." So that gives us a little bit more.

24 I am going to point out that we've
25 learned more since we made this filing, so we did

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 submit a research report as part of the record.
2 Everyone got a copy of that; I don't know what
3 exhibit it is. But in that research report, we
4 actually did a qualitative investigation speaking
5 to hackers and security researchers about what kinds
6 of considerations shape their work.

7 And half of them mentioned the DMCA in
8 some part of the conversation. But the important
9 thing is that when it came to questions about live
10 systems, you know, how far is too far is an example
11 of a question we asked these researchers and
12 hackers.

13 It was, as you said, there was some
14 unanimity in talking about things like cyber
15 physical systems that can actually have an effect,
16 with a software change, on the physical world and
17 can move kinetic things and hurt people.

18 But it's more than just to people, right.
19 It's harm to property, machines, things like that.
20 And also invasions of privacy. So you can imagine
21 like wiretapping kinds of things, where there are
22 very serious laws that prevent you or should prevent
23 you from doing those kinds of things.

24 And so I think while we do definitely
25 think the easier way is to eliminate the controlled

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 environment specification entirely, there are
2 probably ways to write language that could get at,
3 you know, harm to people, harm to machines,
4 invasions of privacy that may not be all the one
5 things.

6 But as you can see, it's already becoming
7 a pretty complicated set of things to specify in
8 the exemption text. But I'm sure that we could work
9 on it.

10 MS. SMITH: Okay, thank you. So I think
11 we'll go to Mr. Mohr then Mr. Geiger.

12 MR. MOHR: Yeah, I just wanted to
13 respond to a couple of things. First is the idea
14 that professional norms are not part of or shouldn't
15 be codified in the law. I mean, I'd suggest that
16 happens all the time.

17 I'd suggest it also happens in the First
18 Amendment context, especially when you think of when
19 you're trying to prove libel and you're defending
20 and you have to, as a reporter, prove that you were
21 following established journalistic practice, that
22 you were not reckless. That's part of the First
23 Amendment law.

24 There's no categorical right to do
25 whatever you want, even it's for a noble purpose,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 if it causes -- if it violates some other statute.
2 Other examples, a notable example where trade usage
3 is incorporated broadly into a variety of situations
4 is Article 2 of the U.C.C., where course of dealing,
5 usage of trade, etc.

6 What we believe the controlled
7 environment did was to simply incorporate what the
8 kind of thing that -- things that the responsible
9 folks at this table tend to do when they do security
10 research. And that's why we, you know, we supported
11 the inclusion of that language, we didn't object
12 to the reissuance of this particular exemption, and
13 welcome dialogue on how to construct that particular
14 point better.

15 But the premise of what's, of that
16 particular attack is not one that I think we accept.

17 The second thing that, when crafting
18 this exemption, and this goes to the overlapping
19 remedy problem, I mean, that's an interesting policy
20 question. I'm not sure that once you get, it's one
21 thing to discuss it in terms of a First Amendment
22 analysis.

23 In terms of this statute is not narrowly
24 tailored because there are all these other laws to
25 deal with that, I'm not sure that's the way the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 analysis is supposed to work. I think it more has
2 to do with this statute cuts off the following types
3 of speech, and there are other ways to do it.

4 And so if you get sidetracked, my concern
5 is that if you go down that road too far, you're
6 going to get sidetracked into things that aren't
7 necessarily relevant to what the statute charges
8 you to do.

9 MR. GEIGER: So the conversation has
10 bled frequently into the question of other laws,
11 and in particular --

12 MS. SMITH: Yes, I think we're there,
13 and you should make your point.

14 MR. GEIGER: Yeah, I mean, it's hard to
15 know, but it keeps coming up. But I also think --

16 MS. SMITH: We're definitely on the
17 other laws about --

18 MR. GEIGER: --- underpinning a lot of
19 the discussion, from our perspective, this is
20 probably the most critical change that you could
21 make to this exception. There is a tremendous
22 amount of uncertainty that is foisted upon
23 researchers because of that requirement that all
24 other laws be adhered to.

25 And it's not just the CFAA. The CFAA of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 course gets a great deal of attention, and it's
2 certainly relevant. But we're talking about every
3 state law -- and not just computer crime laws, we're
4 talking about environmental laws, you know, driving
5 safety, etc., etc., it's everything.

6 And in, I'll give you a specific example
7 of a state law that directly implicates common
8 research. Maryland, so just across the border. In
9 Maryland it is a crime to even attempt to identify
10 an access code. Separately, it's a crime to
11 distribute or circulate that access code to an
12 unauthorized person. I just say that identifying
13 the access code is without authorization.

14 And this is a common feature of, for
15 example, IoT research, where finding a hard-coded
16 password or a very weak password then leads to
17 vulnerabilities that cause serious flaws in IoT.
18 And this was a feature of the Mirai botnet. We
19 actually see this in home security and lots of other
20 types of devices.

21 And does it suppress the research? In
22 Maryland, it does, right? In Maryland, it would.
23 But you know, if you go across the border, then I
24 suppose you can escape it. So is there a way to work
25 around it? Sure, but should that be the analysis?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And to the question that Kevin asked and
2 that, sorry, Mr. Amer, and Ms. Walsh had responded
3 to, the issue is the uncertainty, but also that DMCA
4 compounds those penalties, right. So and the
5 private right of action compounds it further, right.

6 So if you were prosecuted for a violation
7 of one of these laws and you'd be facing a fine under
8 that, then potentially a fine under DMCA. But the
9 statute and the exemption currently don't say that
10 there's needs to be a conviction, it just says that
11 there has to be a violation.

12 And so it's an open question, and one
13 that worries us, whether or not then you are
14 conferring a private right of action to copyright
15 holders, even in instances where prosecutors are
16 not actually pursuing a charge.

17 MS. SMITH: Could you provide, like is
18 there a specific example you can give where you say,
19 well, you know, I'm running a risk of a gray area
20 of a Maryland law or a specific other law, and I'm
21 willing to roll the dice on that, but 1201 is, you
22 know, coupled with that, okay, I'm going to step
23 back? Is there anything specific?

24 Because I think one question we have is
25 -- goes to adverse effects. If it's already

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 prohibited by separate other laws, it's not clear
2 that 1201 is really restricting this activity.

3 MR. GEIGER: The private right of action
4 question with 1201 distinct from criminal laws is,
5 does make 1201 a unique problem distinct from those
6 criminal laws. Now, what happens --

7 MS. SMITH: But I'm saying in your --

8 MR. GEIGER: What happens in these
9 conversations is that it is the compounded
10 uncertainty. I haven't been privy to a
11 conversation where someone says, Well, it's this
12 plus 1201, and it's just, it's too much.

13 What does happen though is saying, well,
14 I don't think that I'm going to be facing a criminal
15 charge for this, but I might be facing a private
16 right of action, and that is scary.

17 Because a company may have more
18 incentive than a prosecutor to go after an innocuous
19 problem that is security research-based that might
20 then harm their reputation. Prosecutors in some
21 cases have shown greater discretion in our
22 experience than some companies.

23 And on the question of legislative
24 history, which Mr. Amer brought up, the conference
25 report has that door lock analogy, which I know the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 BSA brought up as well in their comments. I would
2 argue in 1998, Congress did not contemplate just
3 the plethora of laws that security research now
4 implicates, right?

5 Security research has become completely
6 decentralized. There's a machine in everything
7 now. And so the number of laws is well beyond CFAA.
8 That door lock analogy, which is, really unless I
9 missed something, was the bulk of the discussion
10 on this other law's question.

11 That door lock analogy goes to the
12 question of consent and lawful acquisition, which
13 I think the Copyright Office has tried to
14 accommodate in the temporary rule. So I think that
15 the legislative history had not thought about things
16 like password disclosure.

17 MS. SMITH: Well, but I think you're
18 asking us, or we are being asked to remove consent
19 and the acquisition limitations as well, to remove
20 basically all limitations, aside from it being
21 security research.

22 So just to confirm, you're not, you are
23 or are not aware of a situation where despite another
24 law precluding it, there's been a determination not
25 to go forward with a research project just because

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 of 1201. I don't know if that makes sense.

2 MR. GEIGER: I can't say that I am,
3 actually. I will say that it has caused creative
4 work-arounds, and it does restrict the venue where
5 the research may be performed. But that is, it has
6 come up, particularly the private right of action.

7 But in many cases what we see is that
8 somebody then tries to, as I said, tries to shift
9 where it occurs to avoid a law, or -- sorry?

10 MS. WALSH: Yes, they're going to do the
11 project they're going to do.

12 MR. GEIGER: Or they did it elsewhere,
13 or they did it elsewhere. I will also just note that
14 these laws, when it comes to the uncertainty, it's
15 always changing, right? So the uncertainty that
16 exists for researchers is constantly in flux.

17 State laws are changing very quickly.
18 Georgia, this is happening right now. And even,
19 they're passing a new computer crime law that
20 removes mens rea, it removes any sort of changing
21 of data as a requirement.

22 And the legislators themselves, we've
23 been listening in on the hearings and speaking to
24 the legislators, they themselves are not entirely
25 sure how security research fits within exceptions

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 that they've created. There's been a lot of
2 speculation about that. And so even if the
3 legislators don't know, it is -- I think it is very,
4 very difficult to say that security research ought
5 to know.

6 MS. CHAUVET: But isn't that
7 uncertainty still going to exist, even if you have
8 the applicable law limitation dropped from the 1201
9 exemption? I mean they, you're still going to have
10 to abide by these other laws, right? So if there's
11 uncertainty about those other laws, that
12 uncertainty is going to continue, whether or not
13 it's referenced in the 1201 exemption or not.

14 MR. GEIGER: The uncertainty is going to
15 continue, but the -- at the very least, the private
16 right of action and the compounding effect of DMCA
17 would not be present. For DMCA, I mean, let's not
18 overlook the potential effect that a private right
19 of action would have on the researcher.

20 I mean, it's not just you know, \$2500
21 in statutory damages. It's also the potential for
22 impounding equipment, attorney's fees, discovery
23 costs, and so forth. And for a researcher,
24 particularly one that is not backed by legal
25 representation, that's completely devastating.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. SMITH: Thank you. So to sort of
2 keep the discussion going back and forth, I know
3 that Professor Halderman's had his placard raised
4 for a while. So if you could answer the same
5 question I asked Mr. Geiger, and then we'll go to
6 Mr. Englund.

7 DR. HALDERMAN: Well, I wanted to point
8 out a scenario that I would worry about even more,
9 and something that's actually come up in my work,
10 is cases in which we are being very careful to comply
11 with the legal requirements on security research,
12 but an aspect of the legal code that has no real
13 bearing on security comes up.

14 There was a case fairly recently where
15 I was doing research with some devices that we had
16 bought, and the grad student who plugged them in
17 used an improperly rated extension cord. And this
18 was found to be in violation of the electrical code.

19 Is that then, after that is there and
20 documented, that in the process of doing the
21 research, we had inadvertently violated the law
22 about the electrical code, going to expose all of
23 that research and the fruits of it to liability under
24 the DMCA?

25 MS. SMITH: So have you been stopped in

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 doing any research where you think it is in violation
2 of another law, but it's really the 1201 -- the
3 private right of action or compounding effect, as
4 Mr. Geiger said -- that is making the difference,
5 as opposed to knowing of the other law, including
6 this electrical socket?

7 DR. HALDERMAN: The, it certainly
8 causes me to have to go and talk to the attorneys
9 and have much more careful conversations about
10 whether we are safe -- my students and I are safe
11 --- in proceeding with the research.

12 I am a researcher in a relatively
13 protected position, being one, someone operating
14 out of a public university with the resources of
15 that university and its legal department behind me.

16 But I think someone who is not in that
17 position, someone who is a researcher operating
18 privately, or an amateur investigator who is
19 nevertheless contributing to the safety of the
20 public, might be in a much worse position.

21 MS. SMITH: Mr. Englund.

22 MR. ENGLUND: Yeah, I'd just very
23 briefly like to agree with the thrust of the
24 questions coming from the Copyright Office for the
25 last few minutes and disagree with some of the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 responses coming with -- from the proponents.

2 There's been talk from the proponents
3 about the Office extending the effect of other laws
4 or creating a private right of action, and that's
5 exactly backwards. Congress created prohibition
6 on circumvention, and with it a private right of
7 action. The Office is being asked to grant an
8 exemption from that.

9 And there's a statutory standard for
10 when the Office can grant such an exemption. That's
11 when the prohibition in section 1201 is adversely
12 affecting a non-infringing use. If, and the sort
13 of lead on the non-infringing use is unlawful, it
14 isn't section 1201 that's adversely affecting it.
15 So it should not affect anybody's behavior to keep
16 this provision in or take it out.

17 But highly consistent with the
18 statutory standard that governs the action that the
19 Office has to take to leave it in. Because there
20 can't be an adverse effect from the prohibition in
21 limiting activity that is already unlawful.

22 MS. SMITH: Another question related to
23 that, and then I'll let Professor Reid respond to
24 both questions, that, you just spoke about whether
25 or not 1201 is acting as the adverse effect of the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 causation requirement. But do you think in the
2 instance where the activity that would be engaged
3 in is barred by another law, does that affect the
4 fair use analysis?

5 Such as like Harper and Row if you're
6 doing something in bad faith.

7 MR. ENGLUND: Potentially. There is
8 certainly judicial authority that indicates that
9 the intent in good faith of that person engaging
10 in an act is relevant to fair use. Obviously the
11 four factors are nonexclusive. The courts have
12 occasionally considered other factors.

13 So I think if somebody were engaged in
14 unlawful activity, that could factor into the fair
15 use analysis. I just don't think you need to go
16 there because there can't be an adverse effect.

17 MS. SMITH: Professor Reid.

18 MR. REID: If I can respond and tie back
19 to a point that was made a while ago, and I promise
20 I'll get to this question of other laws in fair use.
21 I want to get back to the notion that was raised
22 about the First Amendment having been resolved in
23 Reimerdes and other cases.

24 I think we're in a very different factual
25 situation here, and it's one that really bears on

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 both the controlled environment and the other laws
2 limitation and the other laws limitation, which is
3 that security research isn't just about the
4 circumvention. It isn't just about identifying the
5 vulnerability, but it's about reporting that
6 vulnerability out.

7 And in some cases, about an activity that
8 is core to our democracy, right, determining whether
9 a nation-state is hacking an election. Right,
10 determining whether there are security
11 vulnerabilities in the machines that are used to
12 administer an election.

13 And in a case where permission might not
14 be forthcoming and circumvention is not just one
15 of the convenient ways by which you might obtain
16 this very important information, it might be the
17 only way to obtain this information. So in effect,
18 1201 serves as a gateway to effectuating First
19 Amendment speech.

20 And to Ms. Walsh's point, the Copyright
21 Office has got to identify, at a bare minimum to
22 survive constitutional scrutiny, a really, really
23 good reason for imposing these limitations. So the
24 question is not in this case, and I think we've
25 demonstrated some good reasons why you should get

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 rid of this, but you also need to independently
2 identify a good reason to keep these limitations
3 in.

4 Now, I appreciate on the controlled
5 environment limitation Mr. Troncoso and others have
6 suggested, we might adopt a very narrow construction
7 of what controlled environment is and all of that
8 sort of thing. But I would ask you to think about
9 what exactly is the policy reason that the Office
10 is including that limitation.

11 And what it sounds like is that policy
12 limitation is every legal regime that somebody might
13 use against a researcher if anything from something
14 malicious to something that's an accident happens.

15 Likewise with this other laws regime,
16 you are literally importing into the DMCA every
17 other law, literally every other law. So that's
18 everything from the Computer Fraud and Abuse Act,
19 and if you've seen the *Sandvig* case in the last week,
20 a law where there's an incredible amount of
21 uncertainty.

22 There's circuit splits on virtually the
23 definition of every single term in the Statute, all
24 the way on down to the electrical code, which the
25 building inspector is -- could theoretically show

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 up and enforce, but is never going to do.

2 So I think when you view all of these
3 questions in light of the First Amendment, you have
4 to say why are we importing every other legal regime
5 into what's supposed to be a fairly narrow question,
6 which is are there impacts on copyright infringement
7 that are going to result from the circumvention of
8 technological protection measures?

9 And there's nothing on the record to
10 suggest that that's the case. And so I think,
11 again, that's the reason that we're asking to remove
12 both the controlled environment limitation and the
13 other laws limitation.

14 MS. SMITH: Thank you. Did you want to
15 speak to whether if activity was in contravention
16 of other law, whether that would affect the analysis
17 and whether the use is likely to be non-infringing
18 or not?

19 MR. REID: I think in general the answer
20 to that question is no. So the fair use analysis,
21 again, we're looking at a transformative use, and
22 in particular, one that is aimed at not impacting
23 a legitimate market for the underlying copyrighted
24 software. There's no interest in infringement
25 being demonstrated.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And the question of whether that
2 activity might, say, violate the electrical code,
3 I don't think that's a winner that the, suddenly
4 fair use doesn't apply because you've violated some
5 local ordinance. So I think in general the answer
6 to that question is no.

7 MS. SMITH: Well, what if it was, you
8 know, this is obviously a sort of out-there
9 hypothetical, but to do security research, we drop
10 the limitation on, you know, primarily for security
11 research and it's also to like, you know, steal money
12 or something. Is that still likely not infringing?

13 MR. REID: I'm sorry, I'm not sure I
14 understand the hypothetical. So the idea is
15 someone who's going to commit some kind of larceny
16 or something, and then is also on their way to that
17 doing security research?

18 MS. SMITH: No, I guess they're engaging
19 in circumvention and they're cloaking it saying
20 they're doing security research.

21 MR. REID: So this is an argument that's
22 come up, to the best of my knowledge, in every single
23 hearing back to 2003, since the first security
24 research exemption was adopted, was the idea that
25 this is somehow cloaking some kind of piracy or some

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 kind of illicit activity.

2 To that I can only say the folks who are
3 represented on this panel here are never engaged
4 in that kind of activity. But moreover, there's
5 never been any assertion in the record of any actual
6 incident of anyone within the ambit of the exemption
7 or anyone adjacent to the exemption actually
8 invoking the exemption to get out of something. To
9 your point, theft, to the point that Mr. Williams
10 and others have raised, copyright infringement.

11 In fact, there's very little evidence
12 in the record that section 1201 is ever used at all
13 to deter just about anything. So the idea of
14 maintaining the existence of all of these
15 limitations in the law when there are such profound
16 First Amendment interests at stake on the basis of
17 what are, frankly, completely hypothetical
18 concerns that in more than a decade and a half have
19 never been substantiated we really don't think is
20 warranted.

21 MS. SMITH: Thank you. Mr. Hall.

22 DR. HALL: Thank you. So I'm going to
23 stick to the CFAA specifically since it's called
24 out specifically. The CFAA prohibits exceeding
25 authorized access on protected computers.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Protected computers is a very broad definition.
2 It's basically anything connected to a network
3 capable of affecting interstate commerce.

4 One thing that's been changing quite a
5 bit is software is also increasingly cloudy.
6 Increasingly software is provided from something
7 that's off the device. And so there are things like
8 cloud-enabled door locks where, yes, you have a key,
9 but you also have a way to use an app that will
10 authorize via cloud service the door to unlock for
11 some period of time.

12 The other laws limitation here allows
13 companies to add liability under two statutes to
14 their threat arsenal, essentially contract
15 enforcement. You know, companies can effectively
16 use pretty aggressive terms of service and user
17 license agreements as access controls to the access
18 controls.

19 They can use both the breadth of CFAA's
20 coverage to preclude research that would otherwise
21 fit within the exemption.

22 The research report that we submitted
23 as part of the record shows that disclosing
24 vulnerabilities is one of the riskiest things that
25 a security researcher can do. That's often the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 moment that things get legal and heated very, very
2 quickly. Nearly every legal threat on record is
3 triggered by an attempt to disclose these kind of
4 research findings.

5 But that means that it's incentivizing
6 researchers to keep their work quiet. And when they
7 feel safer keeping information to themselves, no
8 one benefits.

9 And so if we can remove the porting of
10 a vast quantity of other laws, including the CFAA,
11 which is just this miasma, into the calculus
12 security researchers have to make with
13 circumvention of TPMs, that would dramatically
14 improve the state for security researchers seeking
15 to engage in those kinds of activities.

16 MS. SMITH: Thank you. Mr. Geiger, you
17 can have the last word on this limitation, and then
18 we'll start to talk about the last two.

19 MR. GEIGER: So this other laws clause
20 it seems causes a great deal of uncertainty in both
21 directions, right. So for researchers, but then
22 also for concerned stakeholders, rights holders
23 who, whether unintentionally or intentionally to
24 introduce fear, uncertainty, and doubt, seem to
25 regularly confuse this question of liability.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 If you look through the record, this
2 happens pretty frequently. The election providers
3 talked about accessing election software on the
4 computers of local election officials, right,
5 creating a new threat that state, federal, state,
6 and local government officials must defend against.

7 The National Association of Secretaries
8 of State, they talk about unfettered election
9 hacking activities if this were removed. SIAA,
10 too, mentions being permitted by the DMCA to hack
11 flying aircraft or building climate control
12 systems.

13 There's a sense that -- one gets the
14 sense that if other laws were removed, some
15 stakeholders have the impression that then there
16 would be no law, that it is a get-out-of-jail-free
17 ticket for researchers.

18 And one of the concerns that we have is
19 that the reliance and the attention on DMCA section
20 1201 is sort of preventing an evolution of
21 regulation and making security researchers more
22 aware of these other laws. And instead folks are
23 just relying on section 1201 as their gatekeeper.

24 Absolutely the other laws apply, and
25 security researchers should be made aware of that.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 But the incentive to try to deal with security
2 researchers in regulation, make them come to a
3 compromise, which is happening in some places, but
4 in many, many places it is not, and to put forth
5 educational material. And on both sides, the
6 incentive is taken away when 1201 is so easy to rely
7 on.

8 To Mr. Englund's point about how other
9 laws, that behavior won't change, I wanted to make
10 very clear, behavior did change. Right, I mean, and
11 I described it. And maybe Ms. Walsh had the right
12 answer, that the research that I described did not
13 -- was not able to go forth in the venue that it
14 was originally selected. It did have to move
15 because of what the law is.

16 So behavior does change. Now, you know,
17 are there ways to get it done? If you have enough
18 resources, possibly. But it does take some
19 creativity. So it is absolutely chilled.

20 MS. SMITH: All right, thank you. I
21 think given our time, we're going to move on to the
22 last two limitations and discuss them in tandem.
23 So the access limitation, the current regulation
24 says that circumvention, the work should be solely
25 for the purpose of good faith security research.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And the so-called use limitation is that
2 the information derived from the activity is used
3 primarily to promote the security or safety of the
4 class of devices.

5 And I think both of these sort of go on
6 a really broad topics words, this should be for good
7 faith security research. And so I guess I'll just
8 heave a broad question why these limitations are
9 or are not important to keep.

10 I think BSA has said maybe some of this
11 can be sort of misunderstood, I guess, that
12 primarily to promote security doesn't mean only to
13 promote security. There's been some concerns this
14 might prohibit publication of academic papers.

15 And I'm wondering do any opponents agree
16 with this, or do they think no, actually, the
17 Copyright Office can clarify that
18 post-circumvention in publication for in academic
19 or other uses is permitted and not this -- and not
20 prohibited by the current regulation. So Mr. Hall.

21 DR. HALL: I just wanted to get my tent
22 up quickly to make a very small point, which is that
23 -- and I think Andrea Matwyshyn's filing at some
24 point made this point, which is that often the fruits
25 of security researchers or other expressive works

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 like, you know, academic papers.

2 There's a really good example of one that
3 is, you can check it out, it's called, Have I been
4 pwned, P-W-N-E-D. And it's a way for you to figure
5 out if you've ever been included in any data breach
6 that we know about. And that requires you know,
7 having fruits of the security research that are
8 things like, you know, access codes and things like
9 that that we can check against.

10 And so I just wanted to put a pin in the
11 ground and say, you know, on some level, these are
12 other forms of expressive works. And we've heard
13 a little bit about that in terms of
14 the First Amendment concerns.

15 But you know, specifically when we get
16 to the solely useful for, that means you can't do
17 the kind of work that I'm -- I like to do which
18 involves taking the fruit of research and making
19 practical tools that people can use to protect
20 themselves.

21 Another example is the 500 million
22 password list. Yes, there's actually a list on the
23 internet of 500 million passwords. People like me
24 can use that when we design systems to make sure
25 that if you put in any password that we've ever known

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 has been breached before, we just say no, please
2 try again. It may be pretty frustrating, but it's
3 not as frustrating as you think.

4 So the solely --

5 MS. SMITH: But in that case, the
6 circumvention is still for security research,
7 right? So I'm wondering what?

8 DR. HALL: But the use you're making is
9 not necessarily solely for those purposes, correct?

10 MR. TRONCOSO: And specifically to
11 those examples, I don't think it's fair to
12 characterize either as even implicating the DMCA,
13 because the Have I been pwned example is an example
14 where a researcher monitors sort of the dark web
15 to see when people are selling or making available
16 lists of people's credentials and gets them that
17 way.

18 They're not sort of behind an access
19 control, and then creates a database where you can
20 essentially ping the database to see if --

21 DR. HALL: Not true. So I --

22 MR. TRONCOSO: We can go down a rabbit
23 hole, but either way.

24 DR. HALL: Well, I was going to get to
25 that, which is that, you know, often, when you're

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 doing this kind of research, you run into things
2 like lists of credentials, like malware.

3 MR. TRONCOSO: I'm not questioning that
4 a lot of your research does implicate the DMCA. The
5 two examples, though, that were just provided --

6 DR. HALL: So a lot of the passwords that
7 were produced from that 500 million list are
8 specifically mined from devices that have
9 technology protector measures on there.

10 MR. TRONCOSO: For, okay, I'll --

11 DR. HALL: I mean, anyway, so --

12 MR. TRONCOSO: I just also wanted to
13 jump in quickly because it's I think a critically
14 important distinction that there's an access
15 limitation and a use limitation. The access
16 limitation has a solely in front of it, the use
17 limitation is not bound that strictly. It is used
18 primarily to promote the security of software.

19 I think in virtually every example that
20 is in any of the papers that have been submitted
21 as part of this proceeding, all of the research we're
22 talking about is done for purposes of security
23 research. Otherwise what is the, you know, what are
24 we here to talk about?

25 The question is whether you can take

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 knowledge that you've derived from that research
2 and use it in an educational setting. That's where
3 the use limitation comes in, and as long as it, you
4 know, you're primarily using that information to
5 promote security of the class and it's not used in
6 a manner that facilitates copyright infringement,
7 again, I don't think that these types of uses are
8 implicated.

9 And I think that there's, in fact, in
10 the 2015 recommendation, the Copyright Office made
11 reference to the fact that sort of some of the
12 activity that was involved on that record would
13 involve follow-on sort of educational uses.

14 So again, I just don't want to get us
15 into a situation where we're reading these things
16 so narrowly and in such an unreasonable manner, and
17 then hanging our hats on that to say, look, we need
18 to change the 2015 exemption because it's possible
19 someone is going to misread that statute. Ergo, we
20 should just not have any limitations at all in
21 whatever 2018 exemption is recommended by the
22 Office. That's sort of my principal concern.

23 MR. AMER: I mean, to pick up on that --
24 I mean, that's helpful. You know, to the
25 proponents, I would ask, you know, is the concern

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 basically that this somehow could be read to -- I
2 mean, I think you alluded to this in your comments,
3 that this somehow governs the conduct of third
4 parties and what they do with the research that you
5 publish?

6 I mean, to me that seems a little
7 farfetched just given that what this is talking
8 about, you know, this is providing an exemption for
9 the party that is doing the circumvention and it's
10 laying out conditions that are required to be
11 eligible for the exemption. I don't know that it
12 is reasonably read to --

13 DR. HALL: Isn't the case that if I
14 publish research, and that research is then used
15 subsequently to infringe copyright and it's not
16 something that I necessarily thought of or could
17 predict, that then that affects my exemption under,
18 my liability under this exemption? I think so.

19 MR. AMER: You're saying that you're --

20 DR. HALL: So say I publish a paper or
21 something and within it it has some morsel someone
22 uses to mint whole copies of the software I studied
23 or something.

24 MR. AMER: I mean, I think it would turn
25 on, you know, whether you as the circumventing

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 party, as the researcher, were primarily, you know,
2 whether your intention was primarily to promote the
3 security or safety of the class of devices.

4 DR. HALL: I would hope so.

5 MR. GEIGER: The question is where the
6 word primarily appears in the rule, right? I mean,
7 it says and is not used. It seems broken off from
8 primarily as the modifier. And I mean our filing
9 recommended putting primarily in there again to make
10 clear that those third party scenarios, which are
11 very real for security research, because a lot of
12 vulnerabilities are publicized, and they can, you
13 know, if they're not patched they can be used for
14 good or for ill.

15 But to make clear that the -- it's not
16 on the researcher if an unintended and unforeseen
17 third party then goes and uses it in a manner that
18 it infringes on copyright infringement. I'm sorry
19 ---

20 MR. AMER: So this language, as you
21 know, tracks the statute. This is the phrase that
22 appears in 1201(j). You know, I suppose we could,
23 if it were in active voice, I mean, would it say
24 that the researcher does not, you know, that the
25 researcher acts primarily to do that?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. GEIGER: So our suggestion is simply
2 to include the word, and others may disagree, but
3 our suggestion was simply to include the word
4 primarily again in that last part of the phrase.
5 So that it is primarily not used for copyright
6 infringement.

7 And in our experience, and most cases
8 of security research, the fruits of the research
9 are used primarily for computer security. And you
10 know, so you will often make a vulnerability
11 publicly available through several different
12 systems, CVSS, and which can then be used for
13 penetration testing to improve security.

14 But they can also be used by individuals
15 who are fishing around for old vulnerabilities that
16 haven't yet been patched. And our concern is making
17 sure that the research is not suppressed because
18 of that particular scenario. I think it's a
19 relatively easy fix.

20 And to the, just to an earlier point that
21 was made about pwned to owned, I interpreted what
22 Joe was saying as emphasizing for the record that
23 security research is not just a non-infringing
24 activity. That it is also, that it implicates
25 copyright because research itself spawns a host of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 other creative works.

2 I mean there is the research, there's
3 academic articles, journalism, that, you know, that
4 comes from the research. There's further research
5 that is conducted. And software patches that, and
6 then new operating systems that avoid the old
7 vulnerabilities. And often when we're talking
8 about section 1201 being used in these contexts,
9 it's to suppress the research, which then in turn
10 suppresses those creative works.

11 Now, in fact, in most cases, I would
12 argue that 1201 is asserted to stop the publication.
13 It's not even the research, it's just that they don't
14 the word to get out, which is itself spawning other
15 creative works. So it is not just a security
16 research as in something that doesn't implicate
17 copyright on the researcher's side either.

18 MR. AMER: I'm not sure who was next.
19 Mr. Freeman.

20 MR. FREEMAN: I'm actually going to go
21 further on that because I, so I'm -- this is actually
22 the part that I am least comfortable with in the
23 current wording, and the thing that I actually was
24 most wanting to be here in order to address.

25 I actually am not sufficiently

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 comfortable with just adding primarily. And the
2 reason why is that it is just so difficult to
3 determine what somebody's going to do with
4 information.

5 You might think that people are going
6 to use it for all sorts of things, and it turns out
7 that the primary thing that people end up doing with
8 it ends up being something that's infringing. And
9 the reason why this ends up actually really ends
10 up mattering in the wording is, Mr. Troncoso
11 mentioned that the way word solely is.

12 But the word solely is actually in the
13 text twice. So the word solely says it's solely for
14 the purpose of good faith security research, and
15 then good faith security research is defined. It
16 includes the word solely there, but the word solely
17 was already now applying to that entire definition.

18 And then if you read like that
19 definition, essentially just in its like plain
20 wording of it, it states that purposes of this
21 exemption good faith security researcher --
22 research means accessing, yada yada yada, where that
23 activity is carried out in a controlled environment
24 designed to avoid any harm, yada yada yada, where
25 the information derived from the activity, yada yada

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 yada, is not used or maintained in a matter that
2 facilitates copyright infringement.

3 And so you end up with this scenario
4 whereby somebody has performed an infringing
5 activity, but that is exempt because it was for
6 security research. It was actively designed, when
7 the person was doing it, they really did truly have
8 the purpose of good faith testing investigation,
9 their goals was to do security work.

10 And then they go and they publish the
11 research work on it. And it turns out that the way
12 that they -- the thing that they actually ended up
13 tampering with also happens to be the primary
14 mechanism that, for example, an iPhone uses in order
15 to do the encryption of the application.

16 And it wasn't even clear when you were
17 doing it that was what was going -- that would mean
18 you were enabling that. But now you have
19 facilitated that infringement.

20 MS. SMITH: Wouldn't the circumvention
21 be solely for good faith security research in your
22 example? I mean, I'm sort of sympathetic.

23 MR. FREEMAN: But the information was --
24 the problem was is that by having published that
25 information, you are now in violation of that the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 information derived from the activity, right, is
2 not --

3 MS. SMITH: Right, so we're past the
4 solely limitation though.

5 MR. FREEMAN: Used or maintained.

6 MS. SMITH: Right, you would --

7 MR. FREEMAN: The solely actually
8 applies to that entire clause, because back in the
9 definition it says that computer programs where the
10 circumvention is undertaken on a lawfully acquired
11 device or machine on which the computer program
12 operates solely for the purpose of good faith
13 security research.

14 And then good faith security research
15 is defined. So the solely actually applies to
16 everything related to good faith security research,
17 not just the second usage of solely, which applies
18 in (7) (2) .

19 And so what we're looking at here is
20 trying to strike both. And I remember specifically
21 in the petitions it was stated that our goal was
22 to strike both the usages of solely, not just one
23 of them.

24 MR. AMER: Well, but I mean, you know,
25 even if this use clause could be read somehow to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 apply to the conduct of third parties, which I think
2 is questionable, you know, you have primarily there.
3 So I mean, you know, that gives you some additional
4 flexibility.

5 I mean, if there might be some
6 circumstance where someone down the line, some third
7 party uses your research to facilitate copyright
8 infringement, you know, isn't that sort of that
9 possibility? Aren't you protected in that
10 circumstance by the fact that it's a primarily and
11 not solely once again?

12 MR. FREEMAN: So first of all, I will
13 argue that I actually believe that this clause
14 absolutely was put here in order to work on the third
15 party infringement that occurs down the line. That
16 is why it is about the information and the way that
17 that information is maintained after the activity.

18 It is on the information that was derived
19 from the activity, the way in which it was
20 maintained. And that that maintenance of that
21 information could end up facilitating copyright
22 infringement.

23 Then, the usage of the word primarily
24 as it currently stands in the documentation is
25 limited to the primarily to promote the security

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 and safety. It is not applicable to the use or
2 maintain. That was an addition, which is what Mr.
3 Geiger was hoping to add, is to put the word
4 primarily on and is not primarily used or maintained
5 in a manner that facilitates copyright
6 infringement.

7 But I don't think that actually goes,
8 that that actually is sufficient in a way. Because
9 that still leaves open the possibility that the
10 third party infringement that ends up occurring
11 after the point of fact of the publication of the
12 information that now has been poorly maintained from
13 the activity ends up getting used in a manner that
14 happens to be primarily for infringement.

15 And that was in no way something that
16 anyone would have determined at the time when they
17 were doing the research primarily for the promoting
18 the security and safety of the class of devices.

19 MS. SMITH: Mr. Williams, did you agree
20 with that reading or did you otherwise want to speak
21 as to how these limitations are relevant to, from
22 a copyright owner's perspective?

23 MR. WILLIAMS: Sure. So the clients I
24 represent, preserving free speech rights is kind
25 of one of the foundational reasons they exist. So

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 we're not here to try to step on anyone's free speech
2 rights, and we're not here to try to oppose
3 publication of scholarly works.

4 On the other hand, preserving copyright
5 protections, and the cases have said preventing
6 unauthorized access to copyrighted works is a
7 countervailing free speech interest. And if you
8 look at the cases I referred to previously,
9 Reimerdes and Corley and Elcom, they'll all discuss
10 that.

11 And so I don't think the right place to
12 draw the line is whether -- is to say if any third
13 party is involved at all, then that's not on the
14 researcher, for lack of a better word.

15 Because if you look at these cases, and
16 particularly at the dissemination early on of DeCSS,
17 it was very clear, even though some of them said,
18 well, we're just researchers, we're just doing this
19 as a point of study, they were setting up websites
20 to intentionally attract other people to download
21 a product and then strip circumvention.

22 So some of that did involve downstream
23 usage, but was also found by the court to be
24 unlawful, and also unlawful in a way that was
25 perfectly within the bounds of the First Amendment.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 So I think you have to be careful there.

2 I'm not opposed to trying to come up with
3 a way to draft this that preserves people's academic
4 rights and right to engage in study and publication,
5 but that also does not enable that kind of widespread
6 dissemination of code that will immediately get
7 people access to copies of works in the clear when
8 they haven't paid for those copies.

9 The courts in these cases have a lot of
10 metaphors, but you know, one was a metaphor of an
11 epidemic that can't be cut off at the source, because
12 once it's released, it spreads virally, for lack
13 of a better word. And that's what can happen in
14 these cases if misuse is occurring.

15 So I just think you have to be careful
16 there. Personally, I don't think adding primarily
17 where they're suggesting it would be a good idea,
18 although I think I understand what they're getting
19 at.

20 But to say that it's not primarily used
21 or maintained in a manner that facilitates
22 infringement, to me that would imply that if 90%
23 of the time they're not engaged in infringement,
24 but then ten percent of the time they did themselves
25 even directly engage in infringement, maybe they'd

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 still be covered, which I don't think would be your
2 intent.

3 So if you do try do something with the
4 language that, you know, protects good faith
5 activity, I don't think that would be the best
6 drafting.

7 MS. SMITH: Thank you. I think we're
8 getting tight on time, so maybe we'll just start
9 going back and forth on this topic. So I think
10 Professor Halderman, you were up next. Mr. Kimata,
11 okay.

12 MR. KIMATA: I just wanted to quickly
13 say that even I didn't turn to the discussion at
14 1:30, and we've been talking about it for 15 minutes.
15 So the ambiguity around this discussion is an
16 already adverse effect that really does chill
17 research in this area.

18 MS. SMITH: Okay, how about Mr. Zuck.

19 MR. ZUCK: Thanks. One thing that's
20 probably you don't have time to go into is that there
21 are adverse effects to copyright holders and public
22 safety for countervailing technical protection
23 measures, right. I mean if I'm hacking a drone or
24 something like that, I can cause damage to public
25 safety.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 So there is a counterbalancing concern
2 here. And so what this ends up being is balance of
3 risks. And completely understandably, security
4 researchers would like to eliminate all of their
5 risk, all of their responsibility, and all of their
6 accountability in this situation. And I would want
7 that too.

8 And so what the net result of that,
9 though, is shifting it all to copyright holders.
10 Well, we published it, so if you didn't patch it
11 in time, if you didn't get all your customers to
12 download patches in time and somebody used it to
13 hack your drone, that's not my fault. I'm just
14 exercising my First Amendment right to publish my
15 research results in a way that easily facilitated
16 use by a third party.

17 So I think that there has to be a balance
18 of risks here. I think the current exemption
19 strikes that balance of risks here, and I think it's
20 inappropriate to request that all my risks and
21 ambiguities should be removed at the expense of the
22 copyright owner that now needs to assume those risks
23 to public safety. Thanks.

24 MS. SMITH: Ms. Walsh.

25 MS. WALSH: So first I want to be clear

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 about some of the purposes that are potentially not
2 encompassed under solely for good faith security
3 research but are nonetheless often engaged in by
4 academic researchers. And one of those is
5 teaching, another is publication.

6 So often Professor Green works as part
7 of a team with students, and part of the mission
8 is to educate those students. With the solely
9 limitation, because solely is such an extreme word,
10 it creates the possibility that a rights holder
11 would argue if you had some additional purpose
12 that's on equal footing or even not on equal footing
13 because it's not the same as primarily, it's not
14 in order to, that having those additional purposes
15 which are also valid and non-infringing puts you
16 at risk of falling outside the exemption.

17 That's why we prefer the language in the
18 NTIA's 2015 recommendation in order to conduct good
19 faith security research.

20 So not to entirely litigate the First
21 Amendment questions here, I'll just note there's
22 a circuit split on how section 1201 interacts with
23 the traditional contours of copyright law, whether
24 there's a requirement of nexus to infringement.

25 The cases that my colleague is referring

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to predate Eldred and Golan for the most part, I'd
2 refer you to our briefs in Green v. DOJ for the
3 details of the First Amendment argument on that
4 count.

5 DR. HALDERMAN: Can I just say that as
6 an educator, I'm also concerned about 1201's effects
7 on my educational speech.

8 MS. SMITH: Mr. Mohr.

9 MR. MOHR: I think, well first of all
10 with respect this specific -- I'm sorry, I keep
11 forgetting to do that. With respect to the use
12 limitation, we have many members who are publishers
13 of journals and so forth.

14 This provision, the limitations in this
15 provision have to my knowledge never interfered with
16 any of their activities on a whole myriad of
17 subjects. Many of those have, in fairness, have
18 nothing to do with copyright whatsoever or
19 circumvention, but some of them do.

20 The second thing I would say is, you
21 know, if you're going to look at this, and I'm not
22 sure you need to, but in my mind the right way to
23 look at this is as an intent requirement. In other
24 words, that's really what you're trying to do here
25 is if the elements here are, what does good faith

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 look like.

2 Well, good faith looks pretty bad when
3 99% of everything that a particular device is used
4 for is an infringement or rather a circumvention
5 of access controls for the purpose of infringement.
6 It -- there's a suggestion there that maybe the
7 reason the circumvention was performed was perhaps
8 not a legal one.

9 I think it's appropriate for the
10 exemption to take note of what happens afterwards.
11 That's it.

12 MS. SMITH: Thank you. Mr. Freeman.

13 MR. FREEMAN: So one of the fun things
14 with this law goes back to the earlier comments that
15 are about good actors in the community versus bad
16 actors in the community. And so the idea that
17 oftentimes when we do security research and we're
18 targeting a product by a company like Apple, we end
19 up feeling somewhat emboldened.

20 But when we're targeting products that
21 are by other companies, I'm just going to point out
22 Sony, we oftentimes are just very afraid.

23 And so you end up with scenarios, like
24 you can bring up a concrete example here of work
25 that was done with the -- on Apple products, where

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 people were reverse engineering for purposes of
2 determining the security implications of Apple
3 iMessage, the messaging protocol that people can
4 use in order to talk to each other.

5 But the mechanism by which that system
6 is protected is Apple Fair Play, which is the exact
7 same obfuscation technique which is used in order
8 to obfuscate the anti- -- sorry, obfuscate the
9 encryption algorithm that is used in order to
10 encrypt applications on the iPhone.

11 And so the work that was published on
12 iMessage ended up being utilized by people who were
13 trying to understand and reverse engineer that
14 encryption algorithm on those applications. Now in
15 this case with something like Apple, I would not
16 necessarily feel that concerned going in and reverse
17 engineering something like iMessage.

18 But if when people contact me about doing
19 any form of security work or any type of research
20 work related to anything involving Sony Online or
21 anything involving any of the, you know, any of the
22 products that are released for any video game
23 console really, when people contact me about things
24 related to General Motors vehicles, when people
25 contact me about -- I tell them, no, don't do there.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Like if you've got work you can do, go
2 work on something that is by somebody like Apple
3 or Google that's probably not going to burn you on
4 that.

5 But we've seen people get burned on 1201
6 from some of these other companies. We see those
7 people, those other companies show up at these
8 panels in order to argue why these exemptions should
9 be more toward limited in order to make certain so
10 they continue to have those powers of control.

11 I do see this kind of chilling effect
12 occurring in the security research community
13 related to these sections, and that's why I am very
14 concerned about making certain that we remove some
15 of these restrictions on this exemption.

16 MS. SMITH: And has that happened, for
17 example, with automobiles after 2015?

18 MR. FREEMAN: Well, so I was bringing
19 that up, it'd be good if, we have an explicit
20 exemption on automobiles now. But I'm --

21 MS. SMITH: Right, we're discussing
22 whether to change it.

23 MR. FREEMAN: I'm sorry, I was -- I
24 should not have brought up the example. I'm sorry,
25 I will take that back, of General Motors. I'm just

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 used to General Motors being the enemy, even showing
2 up for the jailbreak panels and saying that I think
3 you're classifying a general, all-purpose mobile
4 computing device, that sounds like a car.

5 And so, but yes, for all these other
6 devices that we do not currently have exemptions
7 for.

8 MS. SMITH: Thank you. Mr. Geiger.

9 MR. GEIGER: I wanted to quickly respond
10 to the concern that was raised about that used or
11 maintained in a manner that facilitates copyright
12 infringement, and the concern that if it was 90%
13 used for security and then ten percent used for
14 copyright infringement, that then the researcher
15 would still be covered.

16 And in that scenario, I think that's an
17 incorrect read of the exemption. Because it still
18 has to be -- the circumvention still has to be done
19 solely for the purpose of good faith testing,
20 investigation, and/or correction. So your
21 circumvention of it must still be used for that.

22 What we are concerned about, again, is
23 third parties who then take that information and
24 can use it. I mean, as it is now, because of where
25 the placement of primarily is and because we don't

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 attach it to that last part of the sentence, it is
2 an absolute bar, it seems, on any type of copyright
3 infringement.

4 MS. SMITH: So if your element were
5 taken and primarily was also attached to the second
6 part of the, you know, the final part of the clause,
7 that's what I think you're suggesting. Is that
8 right?

9 MR. GEIGER: So I take the point of some
10 of my colleagues that it may not go far enough in
11 every scenario, but in our opinion it does mitigate
12 it. And you know, the very common concern that we
13 have is submitting vulnerabilities to, for example,
14 the CD database, and then it becomes public.

15 And then these vulnerabilities are
16 often not patched by companies that are even aware
17 of them, and then can be used for purposes that are
18 good or purposes that could implicate copyright.
19 But those are all done by third parties, not the
20 researcher. The researchers are generally almost
21 exclusively acting for, solely for the purposes of
22 good faith testing and security.

23 MS. SMITH: Okay, thank you. So we
24 really appreciate everyone's time and
25 participation. I think this is sort of last call

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 because we're well over. So if you have your
2 placard up, we'll get to you. But Mr. Troncoso.

3 MR. TRONCOSO: Yeah, on the use
4 limitation in particular, I just want to point out
5 that there's an amazing amount of agreement from
6 everyone who submitted comments that sort of
7 coordinated vulnerability disclosure processes are
8 very important to mitigating potential third-party
9 risks. And ultimately we're all here to improve
10 security, and we all sort of agree on this point.

11 We also agree that coordinated
12 vulnerability disclosure is not something -- it's
13 sort -- it's more of a norm than a science. And so
14 pursuing some sort of different rule that is going
15 to be stricter I don't think is necessarily in the
16 interest of anyone in this room.

17 So again, I would just sort of leave you
18 to consider you know, I'm open to sort of some
19 wordsmithing where you think that there really
20 legitimate sort of potential ambiguity in the 2015
21 exemption, but I would discourage you from sort of
22 changing elements of the 2015 exemption unless, you
23 know, a reasonable reading would give rise to those
24 ambiguities. Because I think in several instances,
25 you know, I'm not sure that that's really the case.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. SMITH: Thank you. Mr. Williams,
2 then Professor Halderman.

3 MR. WILLIAMS: Yes, sorry, I don't want
4 to belabor this, but just to respond to what I think
5 it was Mr. Geiger said. So first he said, well, it
6 wouldn't be a problem to put primarily where he's
7 suggesting because you would still have the fallback
8 that it's solely for good faith research. But they
9 want to delete that, so that would presume that you
10 do not grant that part of the requested expansion.

11 And then the second piece of it is, you
12 know, even if they were not ten percent of the time
13 directly engaged in infringement, if they were ten
14 percent of the time actively encouraging other
15 people to engage in infringement in some of the ways
16 at issue in the cases that I referenced, that would
17 still, I think, cause a problem.

18 So again, I think what he's put forward
19 is a good faith attempt to try to revise the language
20 in a way that gets to where he wants to be, and I
21 don't think he's trying to encourage infringement,
22 but I just don't think the drafting suggesting works
23 quite well. And I'd be happy in post-hearing
24 letters to weigh in on any thoughts on how to draft
25 it better.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. GEIGER: Just to be clear, we have
2 not -- Rapid7 has not asked for the solely for a
3 good faith testing language to be removed. That's
4 just our position, and I understand that others
5 have, and you know, and I'll let their arguments
6 carry forward. I'm not speaking in opposition to
7 it, but we have not asked for it to be removed.

8 MR. WILLIAMS: Okay, my apologies.

9 MS. SMITH: Professor Halderman.

10 DR. HALDERMAN: To wrap up, I would like
11 to just return to the subject of elections one more
12 time, pointing out again that we are in a critical
13 election year, that 2020 is around the corner. And
14 that security research, especially research by
15 individuals working without authorization of
16 voting machine manufacturers has been absolutely
17 essential to uncovering vulnerabilities affecting
18 many kinds of American election equipment and
19 getting those vulnerabilities fixed.

20 So the existing exemption and its
21 inclusion of voting machines has been very
22 supportive of that, I'm grateful for that. And I
23 hope that an even more expanded exemption allowing
24 further testing of voting machines, even in
25 environments that are not necessarily the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 controlled environments that look like a
2 laboratory, but could be other environments that
3 still protect the safety of the general public is
4 something that you will consider.

5 MS. SMITH: Thank you. Do you have any
6 suggestions as to I guess what would that look like.
7 I assume it wouldn't be conducting research while
8 voting was going on, right?

9 DR. HALDERMAN: Right. Well, I think
10 the critical thing is that the research is following
11 the norms of protecting people from harm, and not
12 that the research is conducted in any particular
13 kind of laboratory setting.

14 MS. SMITH: Thank you. Looks like Mr.
15 Hildebrand.

16 MR. HILDEBRAND: Yeah, if you are
17 considering any changes to the access and use
18 language, we just would like to request the
19 opportunity, that it hasn't been addressed in the
20 initial comments, the opportunity to have
21 post-hearing comments on that as well.

22 MS. SMITH: On which specifically?

23 MR. HILDEBRAND: On the access and use
24 limitations.

25 MR. REID: Just to clarify, some of the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 previous discussion seemed to contemplate that
2 there might be some changes around how that
3 exemption is formulated, and just to underscore the
4 notice of proposed rule-making purported to renew
5 the existing exemption.

6 So I think it's critical, just as an
7 administrative procedure and notice to all of the
8 parties in the room here, that everybody gets a
9 chance if the Office is doing that's not been teed
10 up by the record, that we get an opportunity to
11 comment on it.

12 MS. SMITH: Yes, I would say typically
13 the Office, if they have issued post-hearing
14 letters, they have done so to every participant on
15 the panel list. Mr. Englund.

16 MR. ENGLUND: Thank you, just to very
17 briefly respond to Professor Halderman. The
18 election systems providers did not oppose renewal
19 of the existing exemption. The Register's already
20 said she's going to recommend that. So clearly
21 there is some independent security research with
22 respect to voting machines that is permitted.

23 We do oppose and think it is unnecessary
24 and inappropriate to make changes to that. And at
25 one point Mr. Halderman referred to it as the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 controlled environment. I think it is possible, as
2 discussed earlier, that there is just semantic
3 disagreement here about what means.

4 Certainly the Def Con voting village
5 that's referred to in the comments did not take place
6 in a laboratory in the sense that it had stainless
7 steel countertops or something. It seems like a
8 controlled environment to me. It was not a live
9 election.

10 And so whatever you do here, it ought
11 to be very clear that hacking voting machines during
12 real elections is not permissible. And despite the
13 fact that simply deleting that requirement might
14 permit that.

15 And as Dr. Halderman said, obviously
16 this is an election year. But, and had an election
17 year last year and the year before. But all the
18 intelligence information, security information
19 that's available to my clients and me is that no
20 actual voting was compromised in the 2016 election.
21 And I think it's a mistake for the Office to think
22 that what will save the next election is having
23 people hacking voting machines.

24 There are many layers of security that
25 are created by local election officials backed up

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 by security that is built into the systems that are
2 used in voting, including TPMs that are relevant
3 to this proceeding, and also federal assistance
4 through the Department of Homeland Security and
5 Election Assistance Commission.

6 It is not the Copyright Office's job to
7 ensure the security of the next election by granting
8 the exemption that's been requested here.

9 MS. SMITH: All right, thank you,
10 everyone. A lot to talk about. Appreciate it.
11 Thank you.

12 (Whereupon, the above-entitled matter
13 went off the record at 2:02 p.m.)
14
15
16
17
18
19
20
21
22
23
24
25

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701