UNITED STATES OF AMERICA

+ + + + +

LIBRARY OF CONGRESS

+ + + + +

COPYRIGHT OFFICE SECTION 1201 ROUNDTABLE

+ + + + +

FRIDAY APRIL 13, 2018

+ + + + +

The Section 1201 Roundtable met in the Mumford Room, James Madison Building, 101 Independence Avenue, SE, Washington, District of Columbia, at 9:00 a.m., Regan Smith, Deputy General Counsel of the U.S. Copyright Office, presiding.

www.nealrgross.com

1

PRESENT

REGAN SMITH, Deputy General Counsel of the U.S. Copyright Office
KEVIN AMER, U.S. Copyright Office
RAFI GOLDBERG, National Telecommunications and Information Administration
EMMA KLEINER, U.S. Copyright Office
JOHN RILEY, U.S. Copyright Office
JASON SLOAN, U.S. Copyright Office

ALSO PRESENT GENA CHAPMAN, Stratasys ED FRIES MEREDITH ROSE, Public Knowledge MICHAEL WEINBERG

CONTENTS

Call to Order 4
Opening Remarks 4
Proposed Class 12 4 Computer Programs - 3D Printing
Audience Participation
Adjournment 105
Exhibit No. Page
12A Solution Guide Certified

12B	Test Part Designed to Show Different Tool Paths	59
12C .		60
12D	Airplane Piece	61

(202) 234-4433

	4
1	P-R-O-C-E-E-D-I-N-G-S
2	(9:02 a.m.)
3	MS. SMITH: Okay, I think we are all
4	ready to get started? Seeing no objection, we'll
5	do that.
6	So, this is our fourth day of hearings
7	for the section 1201 rulemaking. This is the
8	seventh rulemaking process and this topic is Class
9	12: 3D printing.
10	The Register of Copyrights has already
11	determined she can presumptively recommend renewal
12	of the current exemption. And so we're focused
13	mainly on expansions or modifications to that
14	language.
15	And before we dive into the 3D printing
16	issues, I did want to say if there's anyone in the
17	audience who wishes to participate, any audience
18	participation, and there may not be, but we have
19	a sign-up sheet in the back if you could add your
20	name to it.
21	If possible, we're going to try to bump
22	that up, being mindful of our time. So, we will
23	introduce ourselves here and then have the
24	participants introduce themselves.
25	If you would like to speak tip your
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	5
1	placard up or if there's two of you, just indicate
2	and we're trying to just focus on homing in on the
3	issues based on your written comments.
4	So, Mr. Sloan, if you want to introduce
5	yourself?
6	MR. SLOAN: Yes, I'm Jason Sloan,
7	Attorney-Advisor in the General Counsel's Office
8	at the Copyright Office.
9	MR. AMER: Kevin Amer, Senior Counsel in
10	the Office of Policy and International Affairs at
11	the Copyright Office.
12	MS. SMITH: And I don't recall if I
13	introduced myself but I'm Regan Smith, the Deputy
14	General Counsel.
15	MR. RILEY: John Riley,
16	Attorney-Advisor, Copyright Office.
17	MS. KLEINER: Emma Kleiner, Ringer
18	Fellow with the Copyright Office.
19	MS. GOLDBERG: And I'm Rafi Goldberg,
20	I'm a Policy Analyst at the National
21	Telecommunications and Information
22	Administration, or just NTIA.
23	MS. SMITH: Mr. Weinberg, do you want to
24	introduce yourself and also explain any affiliation
25	or interest you have with 3D printing invention?
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS
	1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C. 20005-3701www.nealrgross.com

	6
1	If you press the button, it should turn
2	on. Is it working?
3	MR. WEINBERG: So it does. I'm Michael
4	Weinberg, I'm here in my personal capacity when I
5	was working at the public interest organization,
6	Public Knowledge.
7	I submitted earlier versions of this
8	petition in earlier rounds and so I am continuing
9	it even though I am no longer connected with Public
10	Knowledge.
11	MS. SMITH: Do you have any financial
12	interest with the 3D printing industry or anything?
13	MR. WEINBERG: I am the General Counsel
14	of Shapeways, which is a 3D printing company. To
15	be clear, Shapeways takes no position on this issue
16	but I am employed by Shapeways.
17	MS. SMITH: Okay, but you're here in
18	your personal capacity.
19	MR. WEINBERG: Absolutely.
20	MS. SMITH: Okay, thank you.
21	MS. CHAPMAN: And I'm Gena Chapman, I'm
22	here representing sorry, now it's live. I'm Gena
23	Chapman, I'm here representing Stratasys.
24	We're a manufacturer of 3D printers and
25	I am employed by Stratasys as its in-house Senior
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	7
1	IP Legal Counsel.
2	MS. SMITH: Okay, thank you.
3	So, to tip it off, maybe, Mr. Weinberg,
4	you could explain what types of non-infringing uses
5	you think are being prevented or hamstrung by the
6	language in the current exemption?
7	MR. WEINBERG: Yes, thank you, and thank
8	you, I really appreciate the structure of this
9	process this time around.
10	I appreciate that there's the
11	opportunity to ask for a renewal of the original
12	exemption request and that that was a streamlined
13	process.
14	And we're now able to focus on I think
15	what I hope is a relatively modest expansion of the
16	request.
17	And so the reason that I'm asking for
18	an expansion of the request, my concern with the
19	language as written in the previous exemption is
20	that it is not it's language that involves a great
21	deal of ambiguity and does not involve a great deal
22	of clarity around when the exemption could actually
23	apply.
24	So, I have two sets of concerns and then
25	I'll talk briefly about what's the result of those
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	8
1	concerns.
2	First of all, it appears that the
3	additional language that was included in the request
4	that I'm requesting to be removed was driven by a
5	concern that was actually not voiced by the
6	regulatory agency at the time.
7	The 2015 exemption cites a letter from
8	the FDA that purported to raise concerns about the
9	use of 3D printers in relation to medical devices.
10	In fact, that letter I think, upon close
11	reading, did not raise the concerns that are used
12	to justify the exemption.
13	And to the extent there are other
14	concerns related to airline safety or other medical
15	devices, those concerns may or may not be
16	legitimate.
17	I'm honestly not in a position to
18	evaluate them, and I think that those concerns are
19	well beyond the scope of this proceeding and the
20	Copyright Office generally.
21	The second thing is that the language
22	creates a distinction between commercial and
23	non-commercial uses of 3D printers that simply is
24	not sustainable in every-day use.
25	This was actually raised as a question
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C. 20005-3701www.nealrgross.com

	9
1	during the last proceeding and both myself, Public
2	Knowledge, and Stratasys weighed in and made it
3	clear that this distinction is very hard to make.
4	Because first of all, an individual
5	printer can be used at one moment for a personal
6	use, for another moment, in commercial use, and the
7	way the language is tied, it appears to attach to
8	any printer that is used to create an object that
9	is regulated in any way.
10	MS. SMITH: So, I want to put a pin in
11	questioning on that contention for this section and
12	go back to whether you have responses to my question,
13	which is are you aware of any non-infringing uses?
14	Or what are the things that are being
15	precluded from using alternative feedstock by the
16	language in the current exemption?
17	MR. WEINBERG: Yes, I apologize.
18	So, the uses of alternative feedstock
19	is, again, as we said in 2015, I don't actually
20	believe it is prohibited by section 1201 but there's
21	enough ambiguity that we requested that the
22	exemption be granted, because there is remaining
23	ambiguity.
24	The behavior that is being prohibited
25	or prevented is the general use of people being able
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	10
1	to what they believe is jail-breaking their 3D
2	printer.
3	So, any 3D printer that is owned by an
4	individual where they want to bring third-party
5	feedstock, the ambiguity around the language in the
6	current exemption can prevent them from doing that
7	because it is very hard to determine if they fall
8	within or without that exemption, because of the
9	way the language applies to every-day use.
10	MR. RILEY: I think there was some
11	confusion, at least with Stratasys, because just
12	to be clear are you proposing to eliminate all
13	the language after "provided however" in the
14	existing exemption?
15	MR. WEINBERG: Yes, the language that I
16	want to remove is the language that I would describe
17	as the qualifying language in the exemption. And
18	the goal is to remove that qualifying language.
19	I think the specifics of how that is
20	removed is something that there is space to
21	consider.
22	But the idea that it is qualified by the
23	language that it "shall not extend to any computer
24	program on a 3D printer that produces goods or
25	materials for use in commerce, the physical
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS
	(202) 234-4433 1323 RHODE ISLAND AVE., N.W. WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	11
1	production of which is subject to legal or
2	regulatory oversight or a related certification
3	process, or where the circumvention is otherwise
4	unlawful."
5	And I think the, "or where the
6	circumvention is otherwise unlawful", is language
7	that I think adds ambiguity.
8	But the primary concern is the language
9	that precedes that, that talks about any goods or
10	materials that are used in commerce that are subject
11	to legal or regulatory oversight, which can easily
12	be read broadly enough to include all things.
13	MS. SMITH: Well, I think that that
14	language was put in based on the record in 2015 and
15	the record was based on a lot of testimony that
16	users, maybe the user is active in the makers'
17	movement or so forth wanted to use alternative
18	filaments for, you know, innovative purposes.
19	But none of that was really relating to
20	purposes subject to what we saw as any regulatory
21	oversight.
22	It would be helpful if you could narrow
23	in on you say the language is ambiguous, but any
24	tangible examples, because I don't think there's
25	a desperate need to make alternative airplane parts.
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	12
1	MR. WEINBERG: No, as someone who is
2	flying later on today. So, the distinction between
3	individuals in the maker movement and individuals
4	who are engage in commerce is one that is not a clear
5	distinction.
6	And so when we talk about evidence in
7	the record of people who are involved in the maker
8	movement being negatively impacted, those people
9	are often engaged in commerce.
10	MS. SMITH: Well, I guess the language,
11	the current language doesn't just say for use in
12	commerce. It doesn't say if you put what you made
13	on Etsy, you can't do it. It says, "the physical
14	production of which is subject to legal or
15	regulatory oversight or" related certification.
16	So, I think the Office saw that as
17	limiting and we would like to understand why that
18	is an obstacle, I guess, to non-infringing uses and
19	demonstrating that there is an adverse effect.
20	MR. WEINBERG: Of course.
21	So, I think the language related to
22	"subject to legal or regulatory oversight," all
23	items that are in the stream of commerce are subject
24	to legal or regulatory oversight.
25	I mean, there are legal regimes that
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	13
1	govern everything that is used in commerce.
2	And so to the extent that language was
3	intended to narrow the scope of this exemption to
4	the exemption to things that are regulated in a sense
5	by the FDA or the FAA, I think the specificity of
6	that language is lacking.
7	And it doesn't provide the clear lines
8	of subject to oversight by a specific regulatory
9	agency or oversight by a specific protocol.
10	And I think part of the reason for the
11	breadth of that language, I wasn't there to draft
12	it, is that it is in fact very hard to draft language
13	that specifies those types of regulatory regimes
14	in a way that would be inclusive of what you might
15	want to cover, but exclusive of things that you would
16	have no intention of covering.
17	And the good news is that that's an
18	exercise that is very complicated but is largely
19	unnecessary because those objects are going to be
20	regulated by those existing regimes.
21	And so it is unlikely that the safety
22	of airline parts or the safety of medical devices
23	those don't turn on whether or not the 1201
24	exemption applies to machines that could
25	potentially be used to manufacturer them.
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	14
1	MR. RILEY: So, going back to our
2	original question, and maybe if we could flesh this
3	out a little bit, specifically, are people who are
4	using this exemption looking to make airplane parts
5	or medical devices? Is an exemption that
6	specifically talked about the FAA or FDA better than
7	what we have now? Can you tell me more about your
8	uses?
9	MR. WEINBERG: Yes, I think that I don't
10	have the survey data of all 3D-printer users. And
11	I'm not suggesting that you are requesting that but
12	I don't have that information.
13	I think that the answer is it may but
14	I don't understand the Copyright Office's role of
15	carving out things related to those types of
16	regimes.
17	I mean, I'm not sure
18	MS. SMITH: Well, I'll say again that I
19	think we're trying to determine whether exemptions
20	are warranted based on the non-infringing uses that
21	people come forward and say they would like to engage
22	in.
23	And I think that was a primary driving
24	factor in why the current exemption is how it is.
25	And also, I don't mean to cut you off
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	15
1	now but I do want to say if Ms. Chapman ever wants
2	to jump in, there's only two of you, so justfeel
3	free to jump in. You or
4	(Laughter.)
5	Mr. Weinberg.
6	MR. WEINBERG: Yes, so I think it's
7	unclear, right? I mean, there is a movement of people
8	who are making medical devices with 3D printers.
9	And I think as the record from the last
10	proceeding made clear, as Stratasys' own comments
11	in response to questions the last time around made
12	clear, there's a spectrum and range of users that
13	are very hard to differentiate from the individual
14	who is making stuff simply for themselves at home,
15	all the way to large industrial players.
16	And so you do see smaller companies who
17	are doing things in the medical field with 3D
18	printers.
19	I mean, the FDA has been having a
20	year-long review of that process where they are
21	using printers in ways that are connected with
22	medical devices.
23	And while I think it is true that it is
24	possible that if you were to use unapproved
25	third-party materials in a 3D printer, it could
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS
	(202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	16
1	create problems.
2	It's also true that it could not create
3	problems and that the manufacturers, the users of
4	these machines should have the leeway to determine
5	if they're able to meet the FDA standards for the
6	devices they're making on the machines.
7	It's unclear to me why a 1201 prohibition
8	against that process, what purpose that would serve.
9	And similarly with the FAA, if
10	MS. SMITH: I appreciate your point
11	philosophically, but our task here under section
12	1201, since there is a blanket prohibition on the
13	circumvention of access controls protecting the
14	copyrighted work, there just is, we can recommend
15	and the Librarian can adopt exemptions for that just
16	based on non-infringing uses.
17	So, that's what we're here looking at
18	as part of this proceeding. So, the more you can
19	tie it into the standard that we're trying to look
20	at, the more helpful it will be. Thank you.
21	MR. WEINBERG: Sure, I appreciate that,
22	and if it is helpful, I'm happy to follow up with
23	specific examples or other users.
24	I think that those users as a class
25	exist, and if there's a level of documentation
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	17
1	that's necessary to find them, I think that that
2	is a reasonably straightforward process.
3	MR. RILEY: Ms. Chapman, did you want to
4	jump in?
5	MS. CHAPMAN: Sure, so Stratasys
6	disagrees with Mr. Weinberg that the current
7	standard is ambiguous or unworkable.
8	We find that it's clear that it was well
9	thought-out, that it was drafted after fully
10	considering the record, after even soliciting
11	post-hearing remarks both parties.
12	So, we believe that the previous record
13	is very well developed.
14	There was evidence and testimony
15	provided by Mr. Pat Carey on behalf of Stratasys
16	as to the role that the TPMs play in providing a
17	closed system, and the safeguards that that provides
18	in the manufacturing industry and regulated
19	industries such as aviation.
20	And there was remarks given on the record
21	then which are true today, and in fact, even more
22	true today from the advancements of the use of
23	printed parts in those industries over the last few
24	years.
25	So, the evidence of the record today is
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C. 20005-3701www.nealrgross.com

	18
1	even more strongly in favor of continuing the
2	exemption with the qualifying language, rather than
3	removing that. And there hasn't been any evidence
4	presented of persons who are harmed.
5	So, as the petitioner seeking to expand
6	an exemption, needs to show that there are users
7	who are adversely affected and there is some
8	substantial non-infringing use that is being
9	hindered.
10	So, I don't think there's been any
11	evidence of this.
12	There's maybe just some hypotheticals
13	of persons who may exist, but I don't think there's
14	any evidence that there's a user who owns and
15	operates a 3D printer for both hobbyist or
16	non-commercial uses, and is also producing parts
17	that are used for commerce and subject to legal or
18	regulatory oversight.
19	I don't know of any such users who are
20	adversely impacted.
21	MS. KLEINER: Can you talk more about
22	what are the goods and materials for use and commerce
23	produced on 3D printers that are subject to legal
24	or regulatory oversight, or a related certification
25	process?
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	19
1	And can you give additional examples,
2	please?
3	MS. CHAPMAN: Sure. Mr. Weinberg
4	raised the medical devices.
5	This is something really not in my area
6	of expertise because we've been less involved in
7	the certification proceedings there and with the
8	regulation.
9	Our clients are involved in that, but
10	personally, I am probably not an expert to explain
11	what those rules are.
12	And certainly, the FDA has considered
13	and has issued some guidelines on the use of 3D
14	printers in that area. And again, it's more of our
15	end users who are doing that.
16	So, I probably would be speaking beyond
17	my expertise if I gave examples on that.
18	But I think that there aren't any examples on
19	the record of persons who are producing those
20	commercial goods for medical devices that are also
21	printing things in an unregulated industry.
22	So, I think that's important to keep in
23	mind. But those, certainly medical devices, would
24	be one area of the goods that are under regulatory
25	oversight.
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	20
1	Another is in aerospace and that's an
2	area that Stratasys has been very involved with,
3	supporting its customers.
4	And in fact, over the last three years,
5	we've developed and introduced a certified aircraft
6	interiors solution we call it, where Stratasys has
7	worked very closely with the regulatory industries
8	in getting our materials approved and demonstrating
9	that they meet the specifications in those
10	industries.
11	So, we did, in our briefing, cite two
12	materials that are posted on the Stratasys website.
13	And I also have a hand-out that I can give you if
14	we want to mark it as an Exhibit that further
15	explains that particular offering that we have.
16	And it really explains the end to end
17	of how the material
18	MS. SMITH: Maybe you should pass around
19	that now
20	MS. CHAPMAN: Okay, sure.
21	MS. SMITH: and call it Exhibit 12-A,
22	if you're going to be speaking about it now. If you
23	could show it to do we have it?
24	(Whereupon, the above-referred to
25	document was marked as Agency Exhibit
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	21
1	12-A for identification.)
2	MS. CHAPMAN: So, it explains the end to
3	end of how the consumable materials, the software,
4	and the printers are all so closely tied in an
5	integrated and closed system and the importance of
6	that.
7	How should we go about marking it as an
8	Exhibit?
9	MS. SMITH: Ok so, this is Exhibit 12-A
10	called Solution Guide Certified Additive
11	Manufacturing for Aircraft Interiors, and we'll
12	make sure that the court reporter has a marked copy.
13	Ok.
14	MR. RILEY: I had a question about some
15	of the evidence you submitted regarding the FDA.
16	We talked about this leapfrog guidance which is not
17	a regulation and it's not binding.
18	How are we to interpret that?
19	MS. CHAPMAN: So, that would be
20	non-binding on the users of printers making goods
21	for medical devices.
22	So, I guess that would be not, maybe,
23	to the level of legal regulation but there certainly
24	are regulations governing, that are binding, that
25	govern medical devices and what apply, whether
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	22
1	you're manufacturing those on a 3D printer or
2	otherwise.
3	MR. RILEY: So, is that something where
4	there is legal or regulatory oversight or a related
5	certification process?
6	MS. CHAPMAN: So, in my view, yes, the
7	medical devices would be subject to legal and
8	regulatory oversight and then would, thus, be within
9	the qualifying language of the current exemption.
10	So, in other words, by removing that
11	qualifying language, as has been urged by Mr.
12	Weinberg and the Petitioners, that would allow users
13	printing the medical devices to circumvent the TPMs.
14	MR. RILEY: So, Mr. Weinberg asserts
15	that maybe the existing exemption and that limiting
16	clause is over-broad.
17	And we have some examples of the FDA,
18	maybe the FAA; are there any additional examples
19	to offer in terms of regulatory language?
20	MS. CHAPMAN: I don't have additional
21	examples of a regulation of parts, but I think what
22	we're looking for is examples of users who are
23	adversely impacted by that language in the
24	exemption.
25	So, in other words, those who are
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	23
1	adversely impacted by the use of TPMs on 3D printing
2	materials in regulated industries. And I don't
3	know of any examples of such persons.
4	MS. SMITH: What would you say in
5	response to I guess I'll paraphrase Mr.
6	Weinberg's contention of what a regulated industry
7	is, or a regulated process in commerce is. It's hard
8	to figure out whether you fall in or out of that.
9	You may be just making a dish or
10	something, or if it was a sculpture and didn't
11	involve 3D printing, you might not have to worry
12	about, but it's unclear whether you could make use
13	of this exemption in that example.
14	MS. CHAPMAN: Right, so the language
15	extends to 3D printers that produce goods or
16	materials for use in commerce.
17	So, for one thing, if you were printing
18	something for your own use as a maker or something,
19	it wouldn't apply.
20	But let's say you're putting it on,
21	selling something on Pinterest or something, maybe
22	that would be an example. This isn't in the record.
23	We haven't heard from any of these
24	people, but let's say there's somebody making
25	something and selling it on Pinterest, I wouldn't
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	24
1	consider that to be something that would be subject
2	to legal or regulatory oversight.
3	So, I would not consider that within this
4	I would consider that to already be exempt.
5	And you know, unless it's medical
6	devices or something, I think it's pretty clear you
7	know if you're producing goods in a regulated
8	industry, you know that you're doing so.
9	And we haven't heard from any persons
10	in those industries who object to this language.
11	MS. SMITH: Mr. Weinberg, did you want
12	to respond?
13	MR. WEINBERG: I would again argue that
14	if you are selling something on Pinterest, you are
15	subject to a large number of regulations and
16	MS. SMITH: Are you subject to a
17	regulation over the physical production of the thing
18	you're going to be selling?
19	MR. WEINBERG: Those extended If you
20	were to manufacture something that was defective
21	and sell it on Pinterest, then you would be liable
22	under a legal or regulatory regime.
23	And I also want to clarify that the
24	language as it is written right now covers a 3D
25	printer that produces those goods.
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	25
1	And so it's not as if you had one 3D
2	printer that was sometimes producing things that
3	were for purely personal uses and other times, being
4	used to produce things for commercial purposes
5	however we're defining that within the scope.
6	You would potentially be in a situation
7	where if you were using that material for one purpose
8	and not another, you'd be in an ambiguous space.
9	And I do it looks like you have a question?
10	MS. SMITH: I wanted to just see whether
11	Ms. Chapman knew whether the 3D printers whether
12	that's true, that you'd use one printer for the
13	different purposes Mr. Weinberg is saying. Or
14	maybe you know?
15	MR. WEINBERG: So, in the 2015
16	proceeding, the Copyright Office actually asked for
17	a distinction between commercial and
18	non-commercial.
19	And their, Stratasys', response was the
20	first heading is there's no meaningful way to
21	differentiate between commercial and other
22	non-commercial uses of 3D printers.
23	And it goes on to describe in detail
24	today, I'm quoting again, "an individual 3D printer
25	may be used for, 'personal' or non-commercial use
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	26
1	one day, and for a commercial purpose the next."
2	For example, engineers and designers
3	may have 3D printers in their homes, but use them
4	for inspiration, experimentation, and prototyping
5	that blended into their work.
6	The same 3D printer may be used for other
7	household members, for personal hobbies or school
8	projects.
9	The point is that these are
10	general-purpose machines, and just as you might use
11	your computer or even a work computer to do things
12	that are a wide range on a spectrum of commercial
13	and non-commercial purposes, these 3D printers are
14	being used.
15	You think of 3D printers that are in
16	libraries today, that are in maker's spaces in
17	libraries.
18	Very often, those are explicitly
19	advertised as places to come and do your own
20	projects, or potentially start a small business.
21	MS. SMITH: So, the act of
22	circumvention, is it done each time you need to use
23	the alternative filament or, you know, just once,
24	okay, you've broken it so it's open?
25	MR.WEINBERG: It would really depend on
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	27
1	the TPM being used.
2	I think that the canonical TPM that was
3	kind of used as the model for this is a chip
4	verification system, in which case you would be
5	breaking the TPM every time you load new filament
6	in. But again, that could potentially vary based
7	on what's going on.
8	And I think just to reiterate the point,
9	the concerns that are being raised here are very
10	far afield from the scope of the Copyright Office,
11	right?
12	We're talking about the integrity of the
13	airline part production process, we're talking
14	about medical safety.
15	These are not concerns that are grounded
16	in the types of concerns that motivate copyright
17	law.
18	And they're not the types of concerns
19	that I think that a petitioner should have the
20	burden of being able to refute in a proceeding such
21	as this.
22	MS. CHAPMAN: So, I wanted to jump in and
23	highlight that the language that was adopted in the
24	2015 exemption, it didn't follow the lines of the
25	commercial versus non-commercial use that was
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	28
1	briefed by the parties.
2	So, I think the Copyright Office is
3	really quite thoughtful and really prescient in its
4	decision-making in that it focuses on the 3D printer
5	and whether it produces goods or materials for use
6	in commerce, in subject to legal and regulatory
7	oversight.
8	So, that's different from just a
9	breakdown on a commercial versus non-commercial
10	use, because in the case of somebody with a home
11	business selling the printed parts, that could be
12	a commercial use.
13	And so that's sort of the context in
14	which we were responding on whether that was a
15	principled differentiator.
16	So, I think the work that was done in
17	2015, it really did result in something meaningful
18	and an exemption that makes sense and is
19	appropriately directed to the types of uses that
20	the Office and the Register were concerned about
21	in 2015.
22	Stratasys had, in that proceeding, we
23	had objected to the exemption in full. It was the
24	first time it had been considered and we objected
25	to it.
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	29
1	And then when it was narrowed down in
2	the post-hearing questioning was sort of getting
3	towards how could it be written to differentiate
4	the result of the work that was done.
5	It is workable, it's not ambiguous, and
6	it sort of dug deeper than just commercial versus
7	non-commercial. And I think it quite
8	appropriately defines the goods in regulated
9	industries.
10	And there aren't any examples given on
11	the record in 2015 or today of persons making parts
12	on a printer that's used to print things for
13	regulated industries and also for, you know, a
14	non-commercial hobbyist or home use.
15	That just isn't happening.
16	MR. RILEY: Mr. Weinberg, I wanted to go
17	back to there's some discussion about the FDA.
18	We had that leapfrog guidance and I
19	wanted to get your reaction on how to interpret that
20	and also how to interpret the letter that the FDA
21	sent to the Copyright Office, which did discuss 3D
22	printing but was not in response to a 3D printing
23	question, it was in response to a medical device
24	question.
25	Was it important for the FDA? How are
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C. 20005-3701www.nealrgross.com

	30
1	we to interpret both those FDA actions?
2	MR. WEINBERG: Yes, thank you for the
3	question. So, I think that in terms of interpreting
4	the leapfrog guidance, I think the primary way to
5	interpret that is that the FDA is fully aware of
6	its technology and has instituted a process to
7	examine the technology within its regulatory scope.
8	And so to the extent that the Copyright
9	Office would be motivated to act because it was
10	concerned that the FDA was somehow ignoring or
11	abdicating its responsibility in connection with
12	3D printing, the leapfrog guidance makes it fairly
13	clear that the FDA is deeply involved in a review
14	process.
15	And again, I'm not well positioned to
16	evaluate the effectiveness of that process but I
17	will defer to the FDA that this is enough to say
18	that it is something that they are focused on and
19	fully engaged in.
20	In terms of the letter, I believe, and
21	I have the letter here, my understanding of the
22	letter is that it was written in response to
23	questions from the Copyright Office regarding a
24	number of different exemptions.
25	So, it was written partially in response
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	31
1	to the existence of the request in 2015 for this
2	exemption.
3	Looking at the letter, it's a five-page
4	letter, the vast majority of it focuses as you would
5	expect on the exemption specifically related to
6	medical devices.
7	And within the context of 3D printing,
8	towards the end, it's the fourth point, the FDA says
9	regarding 3D printing, manufacturers who utilize
10	3D printing to alternatively manufacture devices
11	need to ensure that their products are safe and
12	effective for their intended use.
13	Which, again, I interpret the FDA as
14	saying we regulate medical devices and we have
15	existing regulations that are divorced from the
16	manufacturing method.
17	And we have that regulatory regime. If
18	you make something that is a regulated medical
19	device, if you use a 3D printer, if you use a 3D
20	printer with third-party stuff, if you use a kiln,
21	if you use whatever you want, it has to meet these
22	standards.
23	And that's how we as the FDA have decided
24	to construct this regulatory regime.
25	MR. RILEY: Leapfrog guidance, though,
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	32
1	is for developing technologies like new
2	technologies.
3	Do we have any expectation that we'll
4	see more regulation from the FDA that's imminent?
5	This is to either party.
6	MR. WEINBERG: I'm not an expert on FDA
7	regulation. What I can take from the existence of
8	that guidance is that the FDA is fully aware of the
9	technology.
10	I think that guidance, if you read the
11	leapfrog guidance, the guidance largely says that
12	the FDA has existing regulatory processes and it
13	is a reminder to manufacturers that whether they're
14	using 3D printing or not, they are responsible to
15	live up to those standards.
16	And so whether or not there's going to
17	be additional regulatory guidance from the FDA, I
18	think you could fairly interpret it as there's an
19	intentionality to that decision-making at the FDA.
20	If the FDA believes that there needs to
21	be additional regulation, they're in a position and
22	aware of the potential problems to do it.
23	And if they decide that they do not need
24	additional regulation because their existing
25	regulatory regime is robust enough to handle a
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	33
1	different type of manufacturing technique, then
2	that is a decision, again, made with intentionality.
3	MR. RILEY: So, we have a 1201 exemption
4	that's existing and on the record last time we also
5	talked about the fact that some 3D printers may not
6	have TPMs on them.
7	Others may not have TPMs, but they don't
8	effectively control access to the work and might
9	not need an exemption.
10	Can you tell me of the uses that would
11	be involved in this expansion, do any of those uses,
12	are those covered by potentially other ways to get
13	this done?
14	MR. WEINBERG: So, sorry, is the
15	question would some of these uses be achievable with
16	printers that don't have TPMs?
17	MS. SMITH: Right, we need to
18	demonstrate some causation as an element of
19	something that we're looking at.
20	So, is the prohibition on circumvention
21	causing some impediment, an adverse effect, to these
22	non-infringing uses that you're seeking to expand?
23	MR.WEINBERG: Sure, I understand. So,
24	again, I don't have survey data on this information.
25	MS. SMITH: Any data?
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	34
1	MR. WEINBERG: Right, so if it would be
2	helpful to add into the record specific examples,
3	that is, information that I have not pulled together
4	prior to this, but it is something that I'm happy
5	to follow up with if that would be helpful.
6	MS. SMITH: Ms. Chapman, do you happen
7	to know whether the trend in 3D printers is to have
8	more or less TPMs on them?
9	Or did you want to speak to that
10	question? You may know the competitor products.
11	MS. CHAPMAN: Actually, I don't think
12	there's been any trend to have more TPMs, I think
13	it's similar to the marketplace in 2015, that there
14	are printers that are not locked with TPMs and there
15	are printers that are.
16	I'm really only an expert on our own
17	printers but there still exist you know, the
18	printers that weren't using TPMs three years ago,
19	to my knowledge, they still aren't.
20	MS. SMITH: Do you have any evidence to
21	point to or show that the circumvention of TPMs,
22	or any reason to think if this modification were
23	granted, it would have an effect on copyrighted
24	works?
25	MS. CHAPMAN: I do because the TPMs are
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	35
1	protecting access to copyrighted works.
2	MS. SMITH: Meaning the software on the
3	printer?
4	MS. CHAPMAN: The software, the
5	operating software, on the printers.
6	So, we had talked about in the previous
7	proceedings that it's not really clear and certainly
8	not in all cases is there copyrighted software on
9	the chips that protect the consumable materials.
10	That's not, and maybe in most cases,
11	isn't copyrightable work that's being circumvented
12	directly in avoiding a chip protection of use of
13	third-party unauthorized filament.
14	But the way that the closed systems like
15	the Stratasys printers, the way that they work is
16	that there are sets of system parameters designed
17	that operate depending on the type of material that
18	you're using.
19	So, when you insert the material, the
20	system, the printer reads the chip to see what type
21	of material is on the chip.
22	And then it sort of does a handshake
23	where, then, based on that material, the software
24	configures the parameters that we've set for that
25	material.
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C. 20005-3701www.nealrgross.com

	36
1	If you've introduced a material that
2	doesn't have a set system of parameters and it needs
3	to operate at different temperatures, there's a
4	whole host of complex system requirements that are
5	impacted by what material you use.
6	But if you have something that isn't
7	within the set parameters, you would need to go into
8	the operating software, which that would be a
9	different circumvention.
10	That would be circumventing the
11	password protected access to the software running
12	on the printers, which that's not something that
13	we enable our customers or users to do.
14	So, there would have to be an access into
15	what we call the back-end of the system, and going
16	in and modifying the software to accept a different
17	material. So, then, that would be the copyrighted
18	software.
19	MS. SMITH: Okay, and then I think the
20	answer to this question must be yes, but is it your
21	view that if Mr. Weinberg's petition is adopted and
22	this limitation is removed, there's specific types
23	or models of 3D printers that now become fair game
24	for circumvention that are not fair game now?
25	MS. CHAPMAN: Yes, exactly.
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com
	37
----	---
1	MS. SMITH: Can you speak specifically?
2	MS. CHAPMAN: Specifically, there are
3	printers that are being used to print parts that
4	are put into commerce and used in these regulated
5	industries.
6	So, right now, those printers would be
7	subject to the standard, you know, copyright law
8	that prohibits circumventions of TPMs. They don't
9	fall within the exception.
10	So, a specific example of such a printer,
11	and the offering I referenced earlier this morning,
12	that we have we call it a certified solution for
13	aircraft interiors that involves a particular
14	printer, a particular license software, and
15	particular consumables all operating together.
16	So, those presently would fall within
17	this qualifying language so circumvention is not
18	permitted.
19	So, if that qualifying language were
20	removed, then it would be permissible for a third
21	party to circumvent the TPMs that right now make
22	that a closed system. And so
23	MS. SMITH: Okay, just so I understand
24	the connection to your product is that the Fortus
25	900 MC? Or what printer are we talking about?
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	38
1	MS. CHAPMAN: Yes, the particular
2	printer is a Fortus 900 and it's loaded with specific
3	software and a specific print head that is provided
4	to the customer with the certified package.
5	So, it would be the printer the 900
6	is a printer that we offer more generally and it's
7	not necessarily printing parts for commerce.
8	I mean, you can be using that and printing
9	prototypes that are just used within your
10	facilities, a non-regulated use.
11	So, that particular printer, the Fortus
12	900, there's uses of that printer and there are users
13	who use the printer in manners that would fall within
14	the bounds of the current exemption, rather than
15	qualifying language.
16	So, in other words, it depends on the
17	use that you're making of that printer, and
18	certainly, there are uses that are exempted.
19	MS.SMITH: Okay, so in your view, it may
20	be non-configured for aircraft the Fortus 900?
21	Its general purpose would be you'd
22	be permitted to circumvent that under the exemption,
23	but not once it's been sort of configured for the
24	aircraft specifically. Just understanding where
25	the
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	39
1	(Simultaneous speaking.)
2	MS. CHAPMAN: Sure, well, that would be
3	one example, yes, that aircraft interiors package
4	would be one example of something that would fall
5	outside of the current exemption, but there are
6	others as well.
7	Even without that particular software
8	and that particular package we offer, the customer
9	
10	MS. SMITH: Is that typical that you
11	configure the printer with specific software to
12	comply with a manufacturing purpose?
13	MS. CHAPMAN: No. This is, it's more of
14	a unique offering.
15	It's sort of like a direction that we're
16	going in the industry to enable greater adoption
17	of 3D-printed parts for manufacturing vertical
18	applications, but it is not something that typically
19	we've offered.
20	So, more typical offerings in the past
21	have been general-purpose.
22	So then it would come down to, as far
23	as applying the current exemption for those printers
24	that don't have this specific application-specific
25	software, it would come down to looking at the
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	40
1	particular printer and assessing, you know, whether
2	that 3D printer is used to produce goods or materials
3	for use in commerce, subject to legal or regulatory
4	oversight.
5	You would have to It would be on a
6	case-by-case how that printer is being used.
7	MR. RILEY: I just want to clarify some
8	stuff that's in your exhibit on page 8.
9	Point 4 makes mention that parts by
10	themselves, are not certified by the FAA, but later
11	it says in point 7 if parts and materials are
12	uncertified by the FAA, why is it called certified?
13	And it discusses that the printer using
14	a controlled specification process has been audited
15	and approved by the FAA.
16	MS. CHAPMAN: Right.
17	MR. RILEY: So, I guess back in point 4,
18	it says the parts are not certified by the FAA but
19	are approved by the aircraft's overall
20	certification.
21	I guess the issue is when we talk about
22	the production of goods that are in commerce, you
23	know, taking Mr. Weinberg's point, how are people
24	to understand that there's so many intricacies here,
25	how can they make sure which certification process?
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	41
1	And why, maybe referring to the process of approving
2	the printing process by the FAA is confusing.
3	Can you react to that? And I guess is
4	there a difference between the goods and the process
5	of the goods in terms of other certifications?
6	MS. CHAPMAN: Yes, you are correct,
7	there are a lot of intricacies here and with the
8	regulatory bodies and regulatory agencies.
9	So, what we've done with the certified
10	material solution, we've done a part of the step
11	that a manufacturer of goods to fly in space needs
12	to do.
13	So, there's the material qualification
14	process and then a specific part also needs to be
15	qualified.
16	So, we're kind of putting out, I guess
17	making it clear, that whatever part that a customer
18	decides to print is still subject to FAA oversight,
19	much like Mr. Weinberg mentioned that the medical
20	devices printed on a printer are subject to the
21	general, you know, rules of medical devices.
22	So, you don't need a specific you
23	know, the rules don't have to be specific to 3D
24	printers. There's a general body of regulation
25	that applies to these devices regardless of how
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	42
1	they're made.
2	So, what we've done is step one or a few
3	steps or something of the process so that the FAA
4	has already given its general approval of use of
5	our materials as printed in this closed system for
6	printing parts in aerospace.
7	And there's a specification that's been
8	developed and approved, so we have like all
9	different properties of the material and the parts
10	that are printed using the printer with a particular
11	material have been vetted through all of this
12	regulatory oversight.
13	And that's been approved so that when
14	you're using our closed system and your output is
15	something that they will approve for flying on
16	aircraft.
17	But a particular part that's printed
18	still, you know, might have to be approved for that
19	part design or other particulars of which
20	honestly, I'm not familiar with all the details
21	because the customer is doing that piece of it.
22	It is a highly regulated industry.
23	MR. RILEY: Mr. Weinberg, maybe you can
24	react to the challenges to what the opponent's
25	just said but also the challenges of trying to
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	43
1	conform printing to regulated or certified goods
2	versus a process versus a downstream use.
3	Are any of those easier than others or
4	clearer?
5	MR. WEINBERG: I think what this
6	illustrates is it's complex, right?
7	There is a complex web of regulations
8	when you're manufacturing anything, whether it's
9	an airline part and you're navigating FAA
10	regulations, whether it's a thing on Etsy and you're
11	worried about Prop 64 compliance or lead-free items.
12	There are a number of potential
13	regulations that attach to anything that you are
14	injecting in the industry of commerce.
15	And I think even in the context of this
16	FAA regulation, if you're using this closed process,
17	it's not 100 percent clear to me how it works, I'm
18	not an expert in that, but it seems that process
19	is somehow recognized and understood by the FAA.
20	And if you were to introduce unapproved
21	third-party materials into that process, is my
22	presumption correct that you would then fall out
23	of compliance with that system?
24	So, the FAA regulatory process is robust
25	in the sense that it's designed to handle a specific
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	44
1	set of situations, and if you as a manufacturer
2	decide to bring in elements that are outside of that
3	regulation, the FAA knows how to handle it.
4	It will say you're no longer certified.
5	And so as a manufacturer, maybe you
6	decide that's worthwhile because you can go back
7	and get a certification through the FAA, or maybe
8	not.
9	But again, all this is very far afield
10	from concerns of copyright.
11	MS. SMITH: And we appreciate that
12	perspective and it's something we're looking at
13	seriously, but just to focus on building out our
14	record in other areas too.
15	Ms. Chapman, aside from aerospace and
16	I guess medical device regulation, what other
17	regulated industries are prompting a concern with
18	Mr. Weinberg's pitch for a slightly different
19	regulatory language?
20	MS. CHAPMAN: Those are right now the
21	primary regulated industries that we've been
22	working in. So, as far as what's prompting Mr.
23	Weinberg's concern, I can't really speak to that
24	because I don't have any examples.
25	MS. SMITH: I guess your objection to
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	45
1	Mr. Weinberg's petition, just from what I'm looking
2	at, is mostly driven I guess by those two regulated
3	industries as areas of concern?
4	MS. CHAPMAN: Certainly, I foresee as we
5	expand manufacturing into additional verticals
6	that there are other agencies.
7	We do work also quite a bit with the
8	automotive industry and it's not nearly as tightly
9	regulated as aerospace so we haven't worked with
10	our customers on an example that I have of a tightly
11	regulated product.
12	But certainly, there are regulations
13	there.
14	MS. SMITH: And then, again I'll just
15	sort of paraphrase that I think Mr. Weinberg's point
16	is if something's regulated by the auto industry
17	or an agency charged with regulating that, that is
18	a totally separate regulatory regime and not
19	something that the Copyright Office should be
20	considering when looking at the exemption.
21	MS. CHAPMAN: Well, I think that it's
22	getting maybe a bit twisted around because the
23	Copyright Office has a blanket rule that prohibits
24	the circumvention.
25	And so as the 3D printing manufacturers
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	46
1	aren't coming to you asking you to write rules that
2	supplant what's already in place by regulated
3	industries or in other agencies, we're merely asking
4	that you continue to limit the exemption.
5	Because there hasn't been the adverse
6	impact in the harms identified that support an
7	expanded exemption, and that under the fifth factor,
8	that it is considered or to be considered under
9	statute in making these exemptions is the other
10	considerations that the Librarian deems important.
11	And in the previous proceeding, these
12	safety and health concerns of using the printed
13	parts in regulated industries for manufactured
14	goods is something that was found to be deemed worthy
15	of consideration and was considered in making this
16	rule.
17	And so we believe that is appropriate.
18	MR.GOLDBERG: So, let's say that either
19	you have a 3D printer that is used in a heavily
20	regulated industry, or maybe you are a user who
21	happens to work in one, would modifying the software
22	on that printer in order to use a third-party
23	feedstock be more likely to be infringing on
24	copyright, or maybe the same? Or you know, maybe
25	less?
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	47
1	Could you walk us through that?
2	MS. CHAPMAN: So, in modifying the
3	software to accept consumable material that is not
4	authorized by the manufacturer who uses a TPM, I
5	believe would be copyright infringement because
6	it's not permitted under the license terms.
7	MR. GOLDBERG: Okay, and is that
8	different because it's a regulated industry versus
9	folks who are covered by the exemption right now?
10	MS. CHAPMAN: In terms of whether
11	there's a copyright infringement, the difference
12	in I guess there's a consideration between
13	whether a commercial versus a non-commercial use,
14	just in the fair use, determination.
15	So, I think that for, again, that's
16	commercial versus non-commercial isn't exactly the
17	split that came up with in the current exemption.
18	But if you look at commercial versus
19	non-commercial, the non-commercial user has the
20	benefit of the fair use factors, where a commercial
21	would weigh against that.
22	MR. GOLDBERG: Okay, what about the
23	section 117 analysis? Is that any different?
24	MS. CHAPMAN: So, section 117 is in
25	regards to the owner of the software, right?
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	48
1	MR. GOLDBERG: Owner of a copy.
2	MS. CHAPMAN: So, there's license terms
3	that are typically what the printers placed on the
4	software that's provided and that operates the
5	printers. So, we don't believe that enables
6	MR. GOLDBERG: Were the license terms
7	more restrictive in a regulated industry? Not
8	necessarily?
9	MS. CHAPMAN: Typically, I guess it
10	depends on the printer because some of the printers
11	are running on really more of an open source
12	software.
13	They're not necessarily getting the
14	software from the printer manufacturers so there
15	are some softwares that are available and are
16	intended for users to be able to change the
17	parameters, and things like that.
18	That's not what we're selling with this
19	Stratasys printer but that's certainly something
20	that is available.
21	So, persons are under the current
22	exemption able to change parameters on software if
23	it's an open system.
24	MR. GOLDBERG: Okay, thank you, and Mr.
25	Weinberg, did you have any thoughts on that?
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	49
1	MR. WEINBERG: No, I think that Ms.
2	Chapman understands the nuances of the licensing
3	of her machines far better than I will.
4	I think that my sense is that generally
5	speaking, there are not licensing decisions that
6	are being made based on the application in the
7	industry.
8	Generally speaking, these machines are
9	sold as the general purpose machines that they are
10	across the board, and there are not a number of legal
11	distinctions between applications and uses, in
12	terms of the copyright.
13	And again, I think your raising of 117
14	is worth circling back on a little bit, and I don't
15	believe that there are concerns being raised that
16	there are standalone markets for the software
17	running these machines.
18	And the kinds of concerns, and I
19	apologize, but the kinds of concerns that are being
20	raised are not the kinds of concerns that we're
21	talking about with regards to infringement of
22	copyrighted works.
23	We find ourselves in a situation where
24	we're talking about a bunch of potential harms that
25	are beyond the scope of that. And a lot of this
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	50
1	proceeding when it began was really about clarifying
2	that the Lexmark precedents in the 2D space apply
3	to the 3D space.
4	And I think there's a lot of value in
5	that separate from this is going back to the fifth
6	factor separate from the specific legal status.
7	But being able to clarify that those
8	precedents cleanly translate in the 3D space to
9	avoid the ten years of litigation that you're all
10	pretty familiar with, that was tied to that. And
11	I'm appreciative that the Copyright Office took that
12	step in 2015 and is looking again to renew it now.
13	My concern is that the qualifying
14	language that was included has muddied that water
15	a little bit for a purpose that is unclear to me.
16	And so the harm in complicating the analysis is not
17	outweighed by a related benefit.
18	But going back to your original
19	question, I don't think there's a lot of legal
20	distinction right now based on the regulated and
21	unregulated spaces.
22	MR. RILEY: So, I guess in the last
23	proceeding there was a comment in our recommendation
24	that there was not enough in the record regarding
25	whether the software on 3D printers is subject to
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	51
1	someone who owns it versus if it's licensed for 117
2	purposes, except for some evidence of Stratasys's
3	own printers.
4	There was no evidence of industry-wide.
5	Is there any more evidence of that in the record?
6	MS. CHAPMAN: There wasn't any evidence
7	on that put in the record in this proceeding. I did
8	want to maybe just clarify that I guess I agree with
9	Mr. Weinberg that there isn't, license terms aren't
10	based on the use.
11	It's really the printers are typically
12	sold as general purpose, and so the license terms
13	that apply, apply whatever your use is.
14	MS. SMITH: Do you have different
15	license terms for the add-on software package for
16	the particular aerospace use?
17	MS. CHAPMAN: We do not. It is licensed
18	but it is not a separate or it's not more
19	restrictive terms.
20	But I did want to point out again that
21	some of the software that is designed to be more
22	open and that allows and enables use of other
23	feedstocks, it might the software would be
24	licensed but it might allow the user to control a
25	lot more variables and parameters even under license
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	52
1	just because that's how the software is the
2	flexibility that is built into that software.
3	MR. RILEY: And we're still talking
4	about consumer-focused additive printing, not
5	commercial lathes or anything like that?
6	MS. CHAPMAN: I think, typically, that
7	the systems that are more open tend not to be used
8	in the regulated industries but I don't have any
9	facts of that.
10	I mean, I don't have any facts to support
11	that. Nobody's put anything on the record about
12	that.
13	MR. RILEY: Speaking of the record, last
14	time, I wanted an update. There was kind of an
15	assertion but not a lot of record evidence about
16	whether there might be piracy of operating systems
17	on 3D printers.
18	Do we have any evidence of that? Have
19	we seen that change? Are they trading on pirate
20	sites these operating systems or no?
21	MS. CHAPMAN: I have seen that, I've
22	seen that since before 2015 where there is software
23	that has been available on eBay for example.
24	Particularly, we've had some issues
25	originating with actors in foreign countries
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C. 20005-3701www.nealrgross.com

53

kind of like build your own newer model using your existing older printer.

So, there has been some of that.

MR. RILEY: Is it your position that removing the limiting clause would encourage that?

MS. CHAPMAN: I guess I haven't thought about the TPM protection as encouraging or discouraging that one way or the other. I think that's subject to the copyright licenses and it's protected in that manner.

I'm not sure that it's a TPM issue, although, maybe you have to look at how did they download the copies? I'm not sure. That wasn't something that we were considering with the exemptions.

20 MR. RILEY: So, we're discussing access 21 to the computer program on a 3D printer and these 22 additional issues of health and safety are an 23 additional consideration but these are exemptions 24 for copyrighted works.

> NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

Does Mr. Weinberg have any thoughts to

(202) 234-4433

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

that?	
-------	--

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

MR. WEINBERG: I think that the fact that as part of this proceeding we've not given a lot of thought to whether or not TPMs have an impact on infringement of the actual software on the printers is a relevant data-point considering what are the concerns that are driving objections to this exemption request?

And that the focus has been on things that are unrelated, and the fact that we don't have great information or no one's given much thought on the impact that TPMs would have on infringement of the operating systems seems very relevant to me.

And I would say secondly that if you're breaking TPMs for the purpose of infringing on the copyright of the operating system for the purpose of making that software available to someone else who has the printers, that would be a purpose well beyond the scope, certainly the intended scope, of any exemption being discussed here.

This is not an exemption designed to allow people to take software off printers and move them onto other printers. This is designed for people who are lawfully in control of their printer

> NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

	55
1	and want to bring third-party feedstocks.
2	MR. RILEY: Ms. Chapman, you look like
3	you want to respond?
4	MS. CHAPMAN: No, maybe I'm not sure
5	that I disagree with the response Mr. Weinberg gave
6	on that.
7	MS. SMITH: Okay, did you have anything
8	else that you wanted to show us in this hearing?
9	You mentioned maybe other exhibits?
10	MS. CHAPMAN: I did bring some sample
11	test coupons to show what part defects so you could
12	see the type of control that we're attempting to
13	get in the printers, which the TPMs kind of we
14	benefit from the TPMs in being able to provide these
15	kind of closed systems.
16	And our customers and the manufacturers
17	benefit as well from the TPMs in the certification
18	and validation and traceability requirements that
19	are placed on them by the industries.
20	So, if you care to see them, I did bring
21	some to just kind of illustrate that.
22	MS. SMITH: If that's something you'd
23	like to enter into the record, now would be the time
24	to do it.
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	56
1	MS. CHAPMAN: Sure.
2	MS. SMITH: So we'll start with 12B? I
3	don't know how many items you have.
4	MS. CHAPMAN: Okay, yes, I just have a
5	handful of items.
6	MS. SMITH: And if you want to just pass
7	them around to Mr. Weinberg?
8	MS. CHAPMAN: Sure, so these are test
9	coupons as they're referenced in the literature that
10	was circulated as an exhibit earlier.
11	So, to put a face to that, there's two
12	examples of test coupons that are printed without
13	defects, and then there's one of them that has just
14	some slight black markings in it that so this
15	is the one with the defects.
16	It just shows the level of control that's
17	needed in order to avoid part defects because a
18	defect is where parts fail. So, that's what we're
19	trying to avoid.
20	So, the black marks on this just if
21	you want to pass that around, it just shows where
22	the material has overheated for one reason or
23	another, maybe there was something, particles, that
24	were caught in the nozzle and overheated, or maybe
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

the temperature of the extruder was too high, but 1 in any case, it darkened some of the material and 2 that will lead to a defect. 3 4 This is one of the test coupons. So, we print these and then there's tests and there's tests 5 to see what force they can withstand. 6 So, this is just showing where a break 7 from one of the tests, and the break was at a defect, 8 which the defect is if you're going to put it 9 10 together, you can see just a little blip. 11 And that's where the breakage occurred. And how do you discover 12 MR. RILEY: these defects? 13 So, those test coupons are 14 MS. CHAPMAN: 15 printed by our customers, by users of the printers, 16 the sophisticated users that are needing to get very specific results. 17 18 So, those particular coupons were 19 printed in connection with the aircraft-interiors-certified parts, but those are 20 test coupons that are done really on all of our 21 22 printers. So even though not within a specific 23 24 package, we just generally enable the customers to **NEAL R. GROSS** COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

be able to print these test coupons and validate 1 they can meet whatever 2 their parts so that 3 requirements they need to meet in their part 4 strength. And to validate that the printer is 5 according to all of its 6 operating operating specifications of the closed system. 7 MR. RILEY: So, I saw that one piece was 8 9 broken but I don't understand what someone would 10 see where that black dot was. Tell me more about 11 how they're looking at these items to see if they're not up to snuff? 12 13 MS. CHAPMAN: Right, so you could see where the black marks are, you can see there's 14 15 defects, even without testing it. So, that one would --16 17 Inspect it, then, is what MR. RILEY: 18 you're saying? 19 MS. CHAPMAN: Yes, so that would be inspected and it wouldn't pass. But then also, 20 21 they'll do the force tests on them to verify that 22 they pass as well. 23 MR. RILEY: And these parts that are 24 defective, they with replacement were made **NEAL R. GROSS** COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

filament? 1 No, this is made with 2 our own, Stratasys's filament, before 3 the commercial 4 manufactured part would be produced. It would validate that the system is 5 operating according to its own specifications 6 before printing the parts. 7 MR. RILEY: So, I get that there's maybe 8 9 multiple levels of making sure that the 10 specifications are up to the certain standards but 11 wouldn't someone who's using replacement 12 third-party filament using this exemption to do so 13 be able to visually inspect those parts? They would be able to. 14 MS. CHAPMAN: 15 I have just a couple of other examples since I have them here. So, this is also the test 16 17 part. This is not a coupon but it's a test part 18 19 that's designed to show the different tool paths 20 and give a wider range of printed geometries to look 21 at in the testing. 22 And that one is one that passed so that's 23 just an example, again, of the kind of qualification 24 that's done on these printers. **NEAL R. GROSS** COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

	60
1	And then this piece that I have in my
2	hand, it's a portion of a part that actually is made
3	to fly on airplanes.
4	And on one face of it, it has a nub that
5	isn't intended to be there, and so that would be
6	a defect that doesn't pass the inspection as well.
7	So, yes, people would be able to examine
8	these defects, whether they're using our materials
9	or other materials, but the importance of all of
10	this is just that the TPMs are part of what plays
11	into that closed system.
12	They're part of what assures that 3D
13	printers are producing trustworthy parts.
14	And particularly, it was something that
15	Pat Carey addressed in 2015, that where there's
16	multi levels of suppliers, these multi-tiered
17	suppliers, there's a concern that somebody in the
18	supply chain is going to want to save money and use
19	a cheaper material.
20	MS. SMITH: Okay, thank you, and just in
21	terms of housekeeping, I think the first collection
22	of coupons we're going to call 12-B, the one that
23	I want to say looks sort of like a clock we're going
24	to call 12-C, and then the airplane piece that you
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	61
1	ivet referred to lest velve going to call 12 D
1	just referred to last we're going to call 12-D.
2	(Whereupon, the above-referred to
3	document was marked as Exhibit 12B-D for
4	identification.)
5	MR. RILEY: First, I want to ask if my
6	colleagues had any more questions? Then, Mr.
7	Weinberg, if you have any final responses?
8	MR. WEINBERG: No, I really appreciate
9	that you're taking the time to consider this and
10	I recognize that there have been a couple questions
11	about specific evidence.
12	I think process-wise, I'm happy to
13	provide that or not provide that as it's useful.
14	I guess I will wait to hear if there's a request.
15	MS. SMITH: Thank you, yes, and in
16	general, after these hearings, the Office is going
17	to take a look at what we've got for each of the
18	classes and if we find the need to issue post-hearing
19	questions we'll do that to the participants for that
20	specific class.
21	So, we appreciate both of your time very
22	much. I think we're going to adjourn now and then
23	come back at 11:00 a.m. for what we term the audience
24	participation session.
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	62
1	So, thank you, Class 12, and if anyone
2	else is interested in participating, we have a
3	sign-up sheet.
4	And I think we'll get started right at
5	11:00 a.m. and we'll probably not go all the way
6	to 12:00 p.m. so thank you.
7	(Whereupon, the above-entitled matter
8	went off the record at 10:17 a.m. and resumed at
9	10:59 a.m.)
10	MS. SMITH: Okay, now I think we're
11	ready to get started.
12	Okay, great, so we are commencing the
13	audience participation, which is the finale to the
14	D.C. part of the section 1201 hearings.
15	And I will say that I think the two
16	participants we're going to hear from are people
17	who are unable to attend the Los Angeles hearings
18	for specific classes, and that's what they wish to
19	speak on. So, we're going to have a slightly
20	different format. We'll go, I think, probably one
21	then the other, first focusing on Class 8, which
22	is computer programs, video game preservation, and
23	I think we are going to hear from Mr. Fries first.
24	If you want to state your name, your
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	63
1	affiliation, and I believe we have demonstrations
2	which will start with 8A. And then if we have two,
3	the second will be 8B.
4	MR. FRIES: This is too technical for
5	me. Okay, I mastered it now. Anyway, can I go up
6	there and give my little talk?
7	MS. SMITH: Please, yes, and I think
8	there's a microphone there too if you want to use
9	it.
10	MR. FRIES: Yes, we tested it before and
11	I think it's on.
12	All right, hey, thanks a lot for having
13	me here. I'm not a lawyer, I've never done this
14	before. Most of my friends are going to be
15	testifying in LA on this, but I was going to be here
16	so thank you for the chance to come and speak to
17	you.
18	A little bit about me, I've been making
19	games since I was a little kid.
20	I went to work for Microsoft in 1986,
21	worked on Excel for five years, worked on Word for
22	five years, then got to do what I really wanted,
23	which was build Microsoft's game business, built
24	it up, launched the Xbox, retired.
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	64
1	And now I do for-profit and non-profit
2	board work on the Board of Commissioners at the
3	Smithsonian American Art Museum, which is why I'm
4	in town.
5	And I also do some videogame
6	preservation work that I'll talk about in a minute.
0 7	
	Videogame preservation is really important.
8	That's what I want to tell you with this slide.
9	It's really a new medium, a new art form,
10	a new medium of communication. And it's only been
11	around about 40 years; the first real video game
12	I think was produced in 1962, a game called Space
13	War. The first arcade videogame in 1971, a game
14	called Computer Space.
15	So, we're kind of at this time when the
16	people who worked on those early games are dying,
17	and so we need to go and capture what they know,
18	record it, interview them before it's gone. And the
19	games are disappearing too and we need to capture
20	and preserve those.
21	Business is huge, it's bigger than
22	movies, it's bigger than music, it's become one of
23	the biggest mediums of communication that there is.
24	And this particular item that we care about is about
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	65
1	extending protections that already exist for
2	offline games and preserving offline single-player
3	games to preserving online multi-player games. And
4	we think the same rules that apply to the offline
5	should apply to the online. When we talk
6	about these online things, they get called this
7	weird word, MMO or MMORPG, that stands for massively
8	multi-player online, they're the first virtual
9	worlds.
10	They're the first times that human
11	beings got together and met in a virtual space. And
12	for that reason alone, they're really important.
13	The problem with them is they're very difficult to
14	preserve.
15	If I buy a cartridge for an Atari game
16	made in 1977, I can still plug it into my Atari today
17	and hook it up to my TV and play that game.
18	But these games, really, you can think of them
19	as having two pieces, one that plays on your local
20	machine, whatever that is, and another that plays
21	on a server or in the Cloud.
22	And it's that server piece that usually
23	disappears; when a company goes out of business,
24	it's just gone. The software is gone and it'll
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

П

	66
1	never run again.
2	It's like we really only have half the
3	game if we don't preserve the server side.
4	MS. SMITH: Could I ask you how, in your
5	view, would you preserve the server side?
6	MR. FRIES: Well, I mean there's groups
7	that are dedicated to preserving software and
8	preserving games in particular.
9	Three that I work with are the MADE in
10	Oakland, that's one of the groups that's going to
11	be testifying. A second one is the Videogame
12	History Museum in Frisco, Texas.
13	A third one closer to home here is in
14	Rochester, New York, it's called the Strong Museum
15	of Play. And they do some great work there. So,
16	those are the kinds of institutions where we would
17	see this preservation work happening.
18	My last bullet point, it's a little
19	hyperbolic maybe but, really, this is an evolution
20	of the human species. This is the first time we go
21	from just meeting physically to also having places
22	where we're interacting in a virtual world.
23	And I think that's going to be something
24	we'll look back on not just in 50 or 100 years, but
	NEAL R. GROSS
	(202) 234-4433 COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. WASHINGTON, D.C. 20005-3701 www.nealrgross.com

1000 years from now and this will be a point in time 1 So, it's when that happened. important 2 to 3 preserve. I do preservation work. 4 Most of the work that I do focuses on a period right when the 5 arcade videogames were first coming out. 6 I mentioned Computer Space before; the 7 first arcade videogame came out a year before Pong 8 I wrote the only article that exists about 9 in 1971. 10 the first color arcade video game, which is a game called Color Gotcha. 11 I wrote the article about the first 12 13 Easter egg in a videogame, which is not the one that appears in Ready Player One but is actually before 14 15 that, two years before. So, anyway, this is something that's 16 17 near and dear to my heart as somebody involved in 18 the game business for a long time. 19 MS. SMITH: Do you want to explain -- and I know you're not a lawyer but in connection with 20 21 the prohibition on access controls, why an exemption 22 might be necessary for preservation. 23 Is it not possible to get permission from 24 the people who are the copyright owners for this **NEAL R. GROSS** COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	68
1	preservation work? Tie it into the proceeding a
2	bit.
3	MR. FRIES: Yes, for a lot of the stuff
4	that I do, the companies either no longer exist or
5	they exist but the rights could have been sold
6	through multiple versions of companies by now.
7	There is a company called Atari now but
8	it has nothing to do with the original Atari. And
9	when we go back to and try to find information about
10	what happened in Atari in the early '70s, all of
11	it well, these are really my next two slides so
12	let me just go on.
13	In many cases, the only comprehensive
14	sources of information are things that I've written
15	or other people like me have written.
16	The companies are long gone, they've
17	been sold and I'll talk a little more about that
18	in a minute. And if we don't preserve them, this
19	important history is going to be lost.
20	Companies cannot be relied on to
21	preserve their own work, this is to your point. Maybe
22	that sounds a little controversial but I take Atari
23	as an example.
24	The company was acquired by Time Warner
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	69
1	and then run out of business in the early '80s. They
2	took everything they own, some of it they buried
3	in a landfill in New Mexico, which was recently
4	excavated.
5	Most of what exists today either is
6	something that employees were working on and took
7	home to their house or stuff that people rescued
8	out of dumpsters when the company went out of
9	business.
10	If we didn't have that stuff, we wouldn't
11	have the information we have about the early days
12	of the videogame business.
13	So, it's scary to rely on companies
14	because they don't necessarily make this a priority.
15	It's even worse, unfortunately, with
16	the kinds of games we're talking about today,
17	online, massively multi-player games.
18	A company is running this thing for
19	profit; when it stops making money, they shut it
20	down and they have no real incentive to preserve
21	it.
22	And at that time, for those few years
23	it doesn't seem important, but then you look back
24	at it 10 years later or 20 years later and realize
	NEAL R. GROSS
	(202) 234-4433 COURT REPORTERS AND TRANSCRIBERS WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	70
1	in the context of history, it was very very
2	important. But it's gone forever.
3	Unfortunately, more and more games
4	today are games like that. So you may notice you
5	download a new game on your cell phone and then you
6	get on the airplane and turn on airplane mode and
7	all of the sudden you can't play the game anymore.
8	It's because really only part of it lives
9	on your phone, and part of it's now out in the cloud
10	somewhere on a server.
11	And so more and more games are being
12	built that way, it makes sense to build games that
13	way but it's really a nightmare for preservation
14	because there's no local copies that are being
15	preserved.
16	So, it's an area that we need to enable
17	these groups that preserve this software, we need
18	to give them ways to do it legally.
19	I'm getting ahead of my slides again but
20	that's why we need this.
21	First of all, again, I'm not a lawyer
22	but when I see what's allowed today for single player
23	and land games, we're just asking for those same
24	things extended out to online and multi-player
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

games.

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

That work would be done inside institutions like the three that I mentioned already, that's the right place for it.

And they will in many cases need to cooperate with other experts to do that work, and they should have the right to do that.

MR. RILEY: So, practically, how are they going to do that, though? I think the one example that you gave, dumpster diving, how are they accessing these works?

If we still have a qualification that the people who are doing these circumventions must lawfully access the work, how are they ever going to obtain them without the participation of the different companies that create them?

MR. FRIES: On the lawful thing, I'm not going to speak to it, but I can talk to the technical part. There's really two choices.

One is that you have access to the original source code. So, in the case of MADE, they're trying to bring back an MMO and an early online game, and fortunately, they had access both to the source code and to the hardware itself, the

> NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

1	custom hardware that it ran on.
2	And they've been able to bring the game
3	back to life because of that, but is it legal what
4	they've done? I don't know, and I think that's why
5	they really care about this rule.
6	The second option is to recreate the
7	server software. That has been done illegally by
8	people around some very popular games, for example,
9	people have built illegal version of the server-side
10	code for World of Warcraft.
11	It shows that it can be done. You have
12	sort of a well-defined what we programmers would
13	call an API, a program interface that the client
14	side, the part that's on your local machine, uses
15	to talk to the server side.
16	And so based on that API and the
17	structures that get passed back and forth, it is
18	possible to create something on the server side that
19	acts like the original server code.
20	And so I suspect that in cases where the
21	source code is lost, that kind of work would be done.
22	MR. GOLDBERG: So, I can sort of imagine
23	a range of different ways that the game-play is split
24	between the clients and the server.
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com
So, at the very low end, maybe all the 1 server is doing is authenticating that you have a 2 license or, you know, maybe a little bit beyond that 3 4 would be the server coordinating what's your high score right now, where on the map are you, that sort 5 of thing. 6 7 But then when you go beyond that, sometimes it actually is streaming the game assets 8 9 to you in real time, the images and sounds and maps 10 and all of that. 11 So, when it's the latter, when it's actually the game assets that are being stored on 12 13 the server, how would you go about re-implementing that? 14 15 MR. FRIES: Yes, fortunately, 16 typically, it's not the assets that are streamed. 17 Usually, the assets are kept locally. Usually, 18 there's two things that are going on. 19 One is they want to keep the bandwidth, the amount of data that's communicated back and 20 21 forth between the server and the client, relatively 22 low because that can have a cost implication for 23 that. 24 But the second thing is, in many cases, **NEAL R. GROSS** COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

	74
1	they're moving things on to the server side to
2	prevent cheating, okay?
3	When it's on the client side, the client
4	could be hacked by a player and all of the sudden
5	the player has a super high score or can unlock stuff
6	that they should be paying for, that kind of thing.
7	The companies want to prevent that, and
8	so because of that, they push things into the server
9	where they have total control over that. Somebody
10	at home can't presumably hack the server.
11	So, it's really to keep the amount of
12	bandwidth low but put all the important stuff on
13	the server. So, every time you kill a monster, the
14	fact that you get experience points or something
15	like that.
16	Every time you buy or sell something,
17	every time you trade something, and so if you take
18	that server piece out, yes, you may have the assets,
19	it's nice to have the assets locally, but the game
20	won't run.
21	MR. GOLDBERG: Okay, so you think that
22	more often than not, it's not a challenge of having
23	to recreate the assets necessarily if you don't have
24	the server code?
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	75
1	Is that right?
2	MR. FRIES: Correct, so I've run a
3	little business for 10 years in cooperation with
4	Blizzard World of Warcraft where I 3D print World
5	of Warcraft, license to 3D print World of Warcraft
6	characters for people. The company is called
7	FigurePrints.
8	We have no access to the server side.
9	We can pull up and recreate all the characters with
10	all their armor and weapons and everything just
11	using the local data, client-side data.
12	MR. RILEY: And we expect with MMOs and
13	not just other games that have this remote server
14	issue. But MMOs are built by the players when the
15	game is on.
16	Some games like The Sims or Minecraft
17	might have stuff that can't be replicated even if
18	the game is restored. Is that right?
19	Is there an element of this that is
20	dependent on the players of the time who incorporate
21	their own features into the game because these
22	massively multiplayer games have more than one
23	element going on?
24	It's not just the servers being down that
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

causes you to not be able to replicate the game, 1 it's that the players and their additions to the 2 world are no longer there? 3 4 MR. FRIES: It's actually relatively unusual to have those kinds of additions. First of 5 all, Minecraft, I would call an online game but I 6 wouldn't call it an MMO. 7 There are people who built extensions 8 to Minecraft that act that way but typically, the 9 10 reason that you don't let players do a lot of 11 building out in the real world is because they fill the real world with crap. 12 And they discovered this with Ultimate 13 Online and other things where they let people build 14 15 houses, and pretty soon, the game was filled with 16 houses everywhere. 17 Then you have to have all kinds of rules and it starts to seem too much like the real world. 18 19 So, typically, people who run, they're trying to create a feeling of a fantasy environment 20 21 or something like that. Typically, they keep 22 pretty tight control over what can be in those 23 worlds. And that's really the kind of thing that 24 you're trying to preserve.

> NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

	77
1	But yes, around something like
2	Minecraft, who owns what? I mean, that's a better
3	question for you than me, right?
4	If I buy Minecraft but then I build a
5	really cool castle, is it my castle because it's
6	just like a painting tool and I painted a castle?
7	If I use a painting program and I paint
8	something, I assume I own it because I painted it.
9	If I do that in Minecraft, it's 3D blocks instead
10	of pixels, do I own it?
11	So, anyway, but I don't want to take you
12	down that road.
13	MS. SMITH: I think that's a bit of a
14	digression.
15	MR. RILEY: Yes, you bring up an
16	interesting point about control in your history and
17	your work. As someone who's contributed to
18	videogames, do you understand or do you agree with
19	the concept that these publishers would like to
20	continue control for maybe some copyright reasons
21	and some not copyright reasons?
22	For example, you brought up cheaters or
23	people who are abusive to players. Are those
24	reasons legitimate or can you talk a little bit about
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

MR. FRIES: So if I were running one of those companies, which I did for a bunch of years, my concern would be about people taking my intellectual property and using it for financial gain.

And so that would typically be the place that I would go. Halo is a game that we published. If somebody was making Master Chief T-shirts and we weren't getting our licensing fee, I'd have an issue with that.

If a museum was preserving our software for perpetuity, which is a completely non-commercial use, I can't imagine having an issue with that as someone who ran a major publisher.

So, I don't understand what the issue is if these things are kept within these institutional contexts.

19 Maybe there's some things about making sure that the work that they do is kept in a secure 20 21 way, that it couldn't leak out to a pirate or 22 something like that. There could be some 23 legitimate concerns there that I think could be 24 addressed.

> NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

	79
1	But, anyway, go ahead, it looks like you
2	have something you want to say?
3	MR. RILEY: There's been some
4	assertions that museums won't act as we
5	traditionally think of museums but will be basically
6	arcades. They're charging admission to play games.
7	Do you have that concern? Do you have
8	thoughts on that? Is there some way to outline what
9	is okay and what's not in your view at least?
10	MR. FRIES: I haven't given it a lot of
11	thought. I think it's a valid concern that needs
12	to be addressed. I know of an arcade that operates
13	as a museum, it calls itself a museum, but charges
14	people admission, and they come in and they play
15	the games.
16	And these are arcade games. It's not a
17	great comparison because in an arcade context, you
18	buy the machine and then you can make as much money
19	as you can off of it. There's not a license fee that
20	goes back to the creator.
21	But I know from the three institutions
22	that I mentioned, that's not how they operate. But
23	I think it's right to have rules around commercial
24	or non-commercial use.
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	80
1	The research preservation works would
2	be non-commercial, whatever that means.
3	
	MR. RILEY: So, you mentioned before
4	about the important thing to you is the financial
5	parts of this. Can you talk, and I'll let you move
6	on to whatever else
7	MR. FRIES: I'm done by the way so it's
8	a good time for questions.
9	MR. RILEY: Okay. But in reference to
10	this was your career you made mention of, for
11	example, some games, that the ET game was buried
12	in the desert.
13	MR. FRIES: I didn't say that but I'm
14	impressed you knew which one they were digging up.
15	MR. RILEY: There are some games more
16	and more, whether they're these external server
17	games or what is generally retro gaming, they're
18	developing more markets for these.
19	As someone who has worked in this
20	industry, is that something that you have concerns
21	about, an exemption getting in the way of the market
22	for re-issued or re-mastered works?
23	MR. FRIES: I don't. I'm a big
24	participant in the retro community, I speak at retro
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

2

3

4

5

6

7

8

9

10

11

19

conferences.

The one in Portland now, we've got about 20,000 people there, and it's great, it's great for the industry, it's great to see people embracing collecting and preserving these games. So, I'm a fan of that.

I think companies like Nintendo, they're still doing things with Mario, which is one of their first characters and they should be able to do that. And they're the only ones that should have the right to make money off Mario.

I agree with all that but if a museum wants to preserve things related to Mario so that they're not lost in the future, I don't see how those two things have to be mutually exclusive I guess is what I'm saying.

17MS. SMITH: All right, thank you very18much.

MR. FRIES: Thank you.

20 MS. SMITH: So, I think now we're going 21 to turn to Ms. Rose.

If you want to state your name, your affiliation, and if you can focus first on Class 8 videogames issue, and then we'll move to the other

> NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

class.

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

MS. ROSE: Absolutely, my name is Meredith Rose. I am Policy Counsel of Public Knowledge and I am an attorney and also an avid gamer, though I do not have the industry side experience.

But I just sort of wanted to speak here both to answer some questions and to draw focus to some of what we talked about in our support comments, which is the fact that the current exemption as it stands relies on distinguishing a complete game from a non-complete game, which we've sort of seen play out in this notion of online versus offline components.

And to draw attention to the fact that the current evolution of videogames is blurring that line to the point where the distinction as it stands is becoming increasingly unworkable.

So, I have a short AV presentation of some of these games that I think illustrate the point rather well.

MS. SMITH: Great, let's start with that. Does she need help carrying it out or is it cued up? We're going to get it cued up and that would

> NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

	83
1	be Exhibit 8-B.
2	MS. ROSE: Yes, so I just want to
3	apologize in advance. I have never used iMovie and
4	so some of these transitions are a lot flashier than
5	they realistically need to be for a government
6	setting.
7	So, all of these games, this is all
8	screen-captured off of me playing these games,
9	actually, last night.
10	So, these are three extremely popular
11	games that are known partially for the fact that
12	they have these innovative multi-player elements
13	to them.
14	And I'll try to sort of explain them as
15	we go. So, I may start and pause the video.
16	And so this is sort of non-traditional
17	and what we call mandatory multi-player. So, this
18	is a game called Journey from 2012, this is actually
19	a beautiful game. I'm not going to go into the
20	artistic merits here.
21	In Journey, you largely play as
22	single-player journey through this sort of big
23	and you can see how broad and open this world is.
24	90 percent of the time you're alone.
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	84
1	Once in a while, someone else who is also
2	playing a single-player game will be transported
3	into the same server as you and so you will
4	incidentally run across them. And so you see me
5	running into someone right there.
6	And you can pick up the sound. The only
7	method for which you can communicate with other
8	players are these chirps which fill a small icon
9	above your head.
10	But that's the limitation of your
11	ability to communicate so it's largely based on
12	bouncing and chirping.
13	And you have no other meaningful way of
14	interacting with them, and so in this particular
15	case, I'm in white and I'm sort of leading the person
16	in red off to what is an Easter egg in the game.
17	And then you get a sense when you go far
18	enough away but the quarter of the screen lights
19	up with bright light to show that they are starting
20	to fade out in the game.
21	This is another game called Dark Souls,
22	I'm going to pause it here, because this takes a
23	little bit more explanation. Dark Souls is a
24	single-player in every traditional sense of the
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	85
1	word. It's sort of a dungeon grinder, it's known
2	for being very punishingly difficult, that's kind
3	of its marketing point.
4	But you play it essentially as a single
5	player campaign but there are various multi-player
6	elements that are interspersed throughout and added
7	in very interesting and innovative ways.
8	So, there's two red stains in front of
9	me which I'll talk about when they get to it, but
10	interestingly, when I loaded up the game, something
11	unexpected happens. You'll see a ghost walking off
12	to the right-hand side of the screen.
13	That's someone else playing the game in
14	real time, someone else in the world who just
15	incidentally happened to pass by the spot where I
16	was standing.
17	And they got far enough away and then
18	they faded out again. So, what I'm about to do is
19	touch a blood stain. These are dynamic elements
20	that show up in the world.
21	When you run into a blood stain, it means
22	that someone has died at the spot you are standing,
23	relatively recently in the game. And if you touch
24	the blood stain, it will show you their last moments
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	86
1	as a red ghost.
2	You don't actually see what they're
3	fighting so it's kind of used as a strategic way
4	to get a preview of what's coming up. So, you touch
5	the blood stain, there's a ghost, someone presumably
6	getting murdered by the boss who used to occupy this
7	spot.
8	These are messages which are left by
9	other players. There's a very limited set of words,
10	there's a word menu that you pick from to read them.
11	Occasionally, you can leave them like
12	this person did with a recording of a preset gesture
13	that you make but you cannot just manually enter
14	letters and type your own. You have to pick from
15	a certain list.
16	Sometimes they're technical points,
17	sometimes they're just things like that. Sometimes
18	they're a little more off color depending on your
19	flavor.
20	This is Animal Crossing, which we
21	mentioned in our filing is one of the most popular
22	games of 2017. So, there's no sound on this one.
23	This is an iPhone game that is made by Nintendo.
24	It's part of a franchise that I believe dates back
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

	87
1	to the mid-'90s. And essentially, you play as an
2	avatar and you're the mayor of a town that is
3	inhabited by little animals.
4	And you harvest trees for fruit and you
5	go and you play flowers, and it's almost like a
6	farming simulator in some ways, just kind of an idle
7	time-killer, frankly, time-suck, in my life.
8	And one of the features of this is it is what
9	we consider mandatory multi-player. So, almost the
10	entire game, you at no point have to interact with
11	another person but you must be connected to the
12	internet because you will run into, in every stage,
13	avatars of other people.
14	So, this is someone playing elsewhere
15	in the world, not dynamically, this is just they
16	pick a random avatar from other people who are
17	playing in the world, presumably from Japan given
18	the character's name.
19	I don't know this person, I've never met
20	them in my life, I have no connection to them. Their
21	avatar has been dropped unceremoniously into my game
22	and you can interact with them in a bunch of ways.
23	You can buy things off of them, I bought
24	fish, you exchange money with them. And then you
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	88
1	can do things like you can go visit every character,
2	you build a campsite, that's kind of the square 0
3	for these games.
4	When you start up, you start building
5	a campsite.
6	So, I went to go visit this person at
7	her campsite, and it brings up, once you get through
8	some campsite wisdom and other various ads, you get
9	deposited at a mockup of what their campsite looks
10	like, complete with the animal villagers who have
11	come to populate their campsite. There is no way
12	to actually exchange messages with these folks.
13	I think I do the closest thing that you
14	can, which is called giving kudos. You'll see this
15	in a second. You give kudos and literally all you
16	do is give them to them, and that's it.
17	And if you as a player get receive
18	a certain number of kudos in day, you get a bonus
19	item. That's it.
20	You cannot play this game on airplane
21	mode. It must have a server connection at all
22	times, it is not a traditional MMO in any stretch
23	of the imagination.
24	But at the same time, it is a
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	89
1	single-player game in which there are multi-player
2	elements that are mandatorily injected into the
3	game, which you can choose to take advantage or not
4	as you decide.
5	MS. SMITH: This is only playable on a
6	smartphone?
7	MS. ROSE: Yes, this is an iOS game. I
8	don't believe there's I'm not sure why it's
9	loading again. But, yes, I don't believe there's
10	an Android equivalent, it's only iOS.
11	But there are other iterations of this
12	game that don't have the multi-player elements that
13	are on things like the various Nintendo handhold
14	consoles.
15	So, I just wanted to bring these up as
16	examples of where traditionally, games that are
17	considered single-player in the traditional sense.
18	And frankly, if you didn't know any better, you'd
19	think you were playing a single-player game, and
20	not necessarily having to take advantage of the
21	multi-player elements, which do have these injected
22	multi-player aspects that do require an ongoing
23	server connection to somewhere in the world and
24	arguably foreclosed from preservation by the state
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

of the current exemption.

MR. RILEY: So, what is your response to ESA's assertion that the adverse effect is not caused by TPM but from the servers shutting down which is a different issue?

MS. ROSE: I think that is certainly a factor. The adverse effect, I can't speak to the engineering aspect of this because I'm not an engineer and I think those are questions more properly posed to MADE, but I will say that -- okay, so perhaps to illustrate, folks may or may not be familiar with the Nostalrius issue.

So, Nostalrius was a server from World of Warcraft. World of Warcraft, I think it went live in 2004, 2003, 2004, and version 1.0 people fondly referred to as vanilla World of Warcraft, and it's now been going for 14 years.

And there have been immeasurable tweaks under the hood, not only aesthetically to the actual assets in the game to make it look prettier, there have been expansions of things like classes and races and areas, and there have been actual expansion packs.

> NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

But at the same time, there have also

(202) 234-4433

www.nealrgross.com

been tweaks to the mechanics of the game, and to 1 the result where the World of Warcraft that I played 2 when it first came out in 2004 is unrecognizable 3 4 when looking at the current game, other than essentially the name. 5 Some of the classes remain the same but 6 there's a lot of the game that has very fundamentally 7 changed. 8 There is a dedicated following 9 of 10 vanilla World of Warcraft circa 2004, and so a bunch 11 of these fans decided they didn't like the way the game looked now and they wanted to be able to 12 13 preserve the game that they knew and remembered. And so what they did was they spent years 14 15 essentially reverse engineering the server-side 16 outputs. 17 This is a very painstaking process from all indications, but they put it up as a free-to-play 18 19 semi-private server, I believe there was an invitation required but it was relatively easy to 20 21 get, called Nostalrius, as a play on nostalgia. 22 And you could create a character and you 23 could bop around. This was not connected to the 24 greater World of Warcraft servers run by Blizzard

> NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 MS. SMITH: Is this what Mr. Fries was 2 talking about? 3 MS. ROSE: With the World of Warcraft? 4 MR. FRIES: It's a different one than I 5 was referring to but it's very similar. 6 7 Yes, same game, it's a different one that I was referring to but it's the same game, same 8 technique, where you completely reconstruct the 9 10 server side, yes. 11 MS. SMITH: Okay, so you were talking about a more preservation context versus --12 13 MR. FRIES: No, it was talking about the opposite. I was talking about more of a pirate 14 15 version that has been done by pirates. Okay, how is Ms. Rose's 16 MS. SMITH: 17 example, the vanilla World of Warcraft reconstruction, more or less of a pirate version? 18 19 I'm just trying to understand the differences. 20 MR. FRIES: Well, I'm not sure what her 21 22 point is so I'll let her finish. 23 MS. ROSE: I guess my point in all this 24 was it ran and the lifecycle of it was it was up **NEAL R. GROSS** COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	93
1	and then they got a notice from Blizzard, and it
2	was taken down.
3	And now Blizzard I believe has a plan
4	to actually start offering vanilla World of Warcraft
5	servers that people can log into and get this old
6	experience.
7	That would not have happened had it not
8	been for a fan preservation movement.
9	Having said that, I bring up this example
10	primarily to draw attention to the fact that these
11	games, especially MMOs which do have a sizeable
12	amount of things like mandatory patching, mandatory
13	downloads, mandatory expansion packs in a lot of
14	cases, where you don't have to buy the expansion
15	pack until you do. And it's been out for a certain
16	amount of time.
17	These make very difficult preservation
18	issues and to avoid getting too philosophical, I
19	won't go down the rabbit hole, but at what iteration
20	can you preserve something?
21	And because these issues of
22	preservation exist when why have so much control
23	that is stacked on the side of the developer,
24	essentially, these newer versions of World of
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.
	(202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

Warcraft overwrite history. 1 And so this raises very difficult 2 preservation issues. 3 4 MS. SMITH: Well, so yesterday we were talking about software preservation 5 more generally, including if 6 an exemption were 7 recommended by the Copyright Office, whether it should include or not include videogames. 8 9 And one question is -- are you saying 10 it's difficult to tell whether a specific version 11 of a videogame is commercially available in the market or not? 12 13 I think that's accurate, yes, MS. ROSE: and there are certain times when I think looking 14 15 at games as iterations of something that's just generally lost in the discussion. 16 Is it not noticeable or 17 MS. SMITH: 18 marked on the game when you're purchasing the 19 software or logging in? 20 MS. ROSE: So, generally, if I went and purchased World of Warcraft today, it would be 21 22 mandatory that I am updated to whatever the latest version is that is available from Blizzard, who is 23 24 the company that develops it. **NEAL R. GROSS** COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

	95
1	And there is a notice when you log in
2	usually in the lower lefthand corner that'll say
3	version 14.0, whatever it happens to be at the time.
4	On the box, usually what you get just
5	says World of Warcraft and it will have sometimes
6	again, this is assuming people buy it off a box,
7	which is also becoming rarer and rarer, but usually,
8	when you purchase it, it will just say the general
9	title of the game.
10	It won't have any indication as to what
11	the current version is because they're not going
12	to reprint boxes every time there's a new iteration.
13	So, I believe that the expectation from
14	a consumer is that when you buy one of these games
15	and when you deal with them or purchase them in a
16	store, you are going to be forced to update to
17	whatever the most recent iteration is.
18	So, if I wanted to go out and buy the
19	World of Warcraft I played 14 years ago, I have no
20	option for that.
21	MR.RILEY: And looking at those patches
22	that we've been talking about and not the upgrades
23	like the expansion packs, you mentioned I think
24	both in your submission and now that there's
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	96
1	different versions of these patches.
2	Some are for gameplay, new characters,
3	new options, things like that, and some are for
4	interoperability so the game continues to work.
5	Is there a difference between those two?
6	Should one be preferred over the other in terms of
7	preservation uses?
8	I think there might be a concern that
9	there's some sort of contention between wanting to
10	be able to exercise your derivative work rights as
11	a copyright owner and make alterations to the
12	expressive elements in the game, versus having
13	someone preserve something that's not related to
14	what you have intended your expression to be?
15	And I think some of the submissions talk
16	about maybe deleting items. I know there's, for
17	example, Modern Warfare 2 had a multi-player map
18	that had an offensive display in one of the
19	multi-player portions.
20	Shouldn't the copyright owner get to
21	remove that from the game?
22	Versus are there other issues about
23	adding stuff and it should be to the copyright owner
24	to decide when and under what circumstances they
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

П

	97
1	want to make creative additions to their game? And
2	how is their attention with preservation in that
3	context?
4	MS. ROSE: There's sort of two issues
5	that I want to bring up in response to that. One
6	is that you're correct, there are a variety of issues
7	that are addressed in patches.
8	They tend to be bundled together and so
9	isolating one patch from the one that comes out a
10	week later, this one is a security patch and this
11	one is an aesthetic patch, it's usually functionally
12	impossible because there's elements of all of them
13	that are mixed in.
14	And a lot of times, the functionality
15	elements of the game in these patches, updating
16	functionality, bug fixes, they're mandatory.
17	Because of the nature of an MMO, all players must
18	have this, and so its uniformity is really one of
19	the key aspects of these.
20	So you cannot decline to update when
21	I'm going to get really nerdy here when they
22	nerfed the undead priest in World of Warcraft, which
23	is one of the great tragedies of my high school life,
24	they changed the stats for one particular class.
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

Everyone playing the game had to accept 1 these changes to that class. It had to be universal 2 across the board or you were not allowed to log in. 3 4 The second point is that the issue of authors writ large attempting to make broad creative 5 revisions to their works is unique 6 not to 7 videogames. run into this specifically, 8 We in another very nerdy case, in Star Wars. 9 10 If you look at the original trilogy 11 episodes 4, 5, and 6, there was an ongoing gripe among the Star Wars fan base community that George 12 Lucas, when he sort of issued the re-issues in the 13 late '90s, pulled all of the older original versions 14 15 of the movie from circulation and you cannot obtain 16 them commercially today. 17 And he has gone on record in interviews 18 saying, well, that earlier version prior to CGI 19 wasn't the most perfect version and I believe that it is my right to pull these earlier iterations of 20 21 my work from circulation until I feel it is perfect. 22 To which our response is, rationally, 23 and I think also this holds up in copyright law, 24 once it has been issued into the stream of commerce, **NEAL R. GROSS** COURT REPORTERS AND TRANSCRIBERS

1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

you don't continue to have the right to undo that. 1 And to pull it from the stream of 2 commerce until you feel more satisfied with it. 3 4 MS. SMITH: Are you talking about the first-sale doctrine? 5 MS.ROSE: Yes, that's part of it. 6 I was speaking more on a philosophical level but that is 7 correct. 8 again, Ι acknowledge 9 And that 10 first-sale doctrine in the context of things like 11 videogames and digital distribution runs into a lot of problems. 12 So I don't want to lean into that too 13 much except to flag that this idea that I have the 14 15 right to revise my creative vision at any time is 16 a very suspect claim, not only from a legal perspective when you get the first-sale doctrine, 17 but also from a philosophical one as to functionally 18 19 how copyright actually works. MS. SMITH: Okay, so I think we're going 20 to try to keep moving to be mindful of time. Do you 21 22 have more questions, Mr. Riley, on Class 8? 23 Does anyone else wish to chime in on 24 Class 8? Hearing none, can we shift to Class 11 **NEAL R. GROSS** COURT REPORTERS AND TRANSCRIBERS

1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

	100
1	avionics?
2	MS. ROSE: Sure, Class 11 avionics I
3	mostly just wanted to present myself.
4	If there were folks who had any questions
5	for the record, I am unfortunately not Bruce Jackson
6	and so I will not be able to speak directly to a
7	lot of the more technical aspects, except to the
8	extent that I can speak at a high level about them.
9	But I wanted to make myself available
10	to fill in any gaps in the record.
11	MS. SMITH: I guess one question I have
12	is would you draw an analogy for this petition to
13	the current one for device on medical data?
14	Do you think that what so this is an
15	exemption for access to aircraft flight operations
16	maintenance and security data captured by computer
17	programs. Is that data itself a copyrightable work?
18	MS. ROSE: That would be something Bruce
19	would be better to answer. My understanding from
20	speaking with him and others in this field is yes
21	and no.
22	MS. SMITH: Is it structured or
23	unstructured data, do you know?
24	MS. ROSE: So, it is structured, it's
	NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS1323 RHODE ISLAND AVE., N.W.(202) 234-4433WASHINGTON, D.C.20005-3701www.nealrgross.com

structured according to open-source standards but 1 a lot of this -- so, it's a combination of a couple 2 of things. 3 4 One is the raw data coming in off things like wing sensors that measures things like air 5 speed and so on and so forth. 6 The other I know is specifically of 7 interest to Air Informatics is doing things like 8 9 security penetration testing, which tends to fall 10 more in line with the sort of inquiry of the 11 security-testing panel from a few days ago in terms of being able to do not only compliance work, to 12 13 into compliance with FAA mandates about come on-board computer security, but also to do things 14 15 like check for holes in the supply chain, that kind 16 of thing. 17 And that does require interfacing with 18 copyrighted software. 19 Some of this is raw data that they're seeking and some of 20 it is interfacing with 21 copyrighted software. 22 And to the extent that those are 23 separable, I believe you have to ask Bruce, frankly, because that's about the limit of my technical 24 **NEAL R. GROSS** COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 knowledge on it. This also may be a question 2 MR. RILEY: for Bruce but I wonder if you have any sense of to 3 what extent the aircraft manufacturers do or don't 4 make this information available to the people 5 seeking it? 6 Is it that they just flat refuse to 7 provide it? Or is it that they typically seek a 8 license? I would be interested in any information 9 10 you have about that. 11 MS. ROSE: So, my understanding is that, essentially, the way the supply chain works on these 12 13 issues is there's the onboard computer, which typically is manufactured by GE or Honeywell that 14 15 tend to be the two dominant players in this case. 16 They're then purchased by Boeing I believe subject to a maintenance agreement that says 17 18 the only people, and this is somewhat similar to 19 the BMW repair case from a few years ago, where the only people who may perform any of this testing for 20 21 compliance licensed GΕ Honeywell are or 22 technicians. 23 The results, when you go to get that 24 compliance testing done, my understanding is that **NEAL R. GROSS**

> COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W.

WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

	103
1	most of the time, you literally just get a
2	certificate that says you have passed security
3	compliance. There's no details in it.
4	Once in a while, my understanding is that
5	you can get something slightly more detailed but
6	it is not comparable to the amount of data that would
7	actually be obtainable if someone like Air
8	Informatics were to go in and be able to do that
9	for the client.
10	MR. RILEY: So, once they get the
11	statement back that says everything checked out but
12	it doesn't have the more detailed information, do
13	you have any sense of whether they've gone back then
14	to the authorized provider to ask for that data?
15	Is it just a situation where if they've
16	done that, it's refused? Has there been a next step
17	after that process?
18	MS. ROSE: I do not know.
19	MS. SMITH: Do you have a sense for
20	I kind of want to get at ownership of the aircraft
21	versus ownership of the avionic system.
22	I'm not sure what is typical, maybe Mr.
23	Jackson will be in a better position to answer, but
24	in terms of who is the owner or the licensee of the
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS 1323 RHODE ISLAND AVE., N.W. (202) 234-4433 WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	104
1	copyrighted work that this exemption will allow
2	circumvention of the TPM of?
3	Is it Boeing? Is it Honeywell? Is it
4	someone who's bought an airplane?
5	MS. ROSE: I believe it would be
6	Honeywell is the actual software ownership. You'd
7	have to ask Mr. Jackson for a more specific my
8	understanding of it is that the physical plane can
9	be owned or can be leased.
10	I believe the more typical situation is
11	that an operator like Southwest or United leases
12	their planes from Boeing. But the operator also is
13	
14	the one I believe who performs the security, who
	is required to comply with FAA regulations.
15	So they're the ones that have the
16	regulatory compliance burden but in I believe many
17	cases, if not most, they are actually the leasing
18	body and not the owning body.
19	MS.SMITH: And is it Public Knowledge's
20	belief that were this exemption to be recommended
21	and adopted, the activity is not infringing under
22	107? Is it also 117 or just 107?
23	MS. ROSE: 107, I believe.
24	MS. SMITH: All right, well, thank you
	NEAL R. GROSS COURT REPORTERS AND TRANSCRIBERS
	(202) 234-4433 1323 RHODE ISLAND AVE., N.W. WASHINGTON, D.C. 20005-3701 www.nealrgross.com

	105
1	very much, we appreciate both of you for
2	participating and for adding this information to
3	the record. So, I think that's it. Thank you.
4	(Whereupon, the above-entitled matter
5	went off the record at 11:47 a.m.)

www.nealrgross.com